

UNITED STATES DISTRICT COURT

DISTRICT OF MINNESOTA

-----

	)	<b>REDACTED</b>
	)	
In Re: Bair Hugger Forced Air	)	File No. 15-MD-2666
Warming Devices Products	)	(JNE/DTS)
Liability Litigation	)	
	)	June 12, 2019
	)	Minneapolis, Minnesota
	)	Courtroom 12W
	)	9:30 a.m.
	)	
	)	

-----

BEFORE THE HONORABLE JOAN N. ERICKSEN  
UNITED STATES DISTRICT COURT JUDGE

**(REDACTED - MOTIONS HEARING)**

APPEARANCES

FOR THE PLAINTIFFS:

MESHBESHER & SPENCE LTD.  
Genevieve M. Zimmerman  
1616 Park Avenue  
Minneapolis, MN 55404

CIRESI CONLIN  
Michael Sacchet  
Jan Conlin  
Michael Ciresi  
225 South 6th Street  
Suite 4600  
Minneapolis, MN

KENNEDY HODGES, LLP  
Gabriel Assaad  
4409 Montrose Blvd  
Suite 200  
Houston, TX 77006

PRITZKER HAGEMAN, P.A.  
David Szerlag  
45 South 7th Street, #2950  
Minneapolis, MN 55402-1652

(Appearances continued next page:)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

FOR THE DEFENDANT:

BLACKWELL BURKE P.A.  
Jerry Blackwell  
Peter Goss  
431 South Seventh Street  
Suite 2500  
Minneapolis, MN 55415

MITCHELL WILLIAMS  
Lyn Pruitt  
425 West Capitol Avenue  
Suite 1800  
Little Rock, AR 72201

COURT REPORTER:

Maria V. Weinbeck, RMR, FCRR  
U.S. Courthouse  
300 South Fourth Street  
Suite 1005  
Minneapolis, MN 55415

Proceedings recorded by mechanical stenography;  
transcript produced by computer.

## P R O C E E D I N G S

(9:36 a.m.)

1  
2  
3 THE COURT: Good morning. Please be seated  
4 everybody.

5 I think rather than take time to go through  
6 appearances, you can just announce yourselves when you get  
7 up to speak. The court reporter already knows who you are.  
8 She wrote you down on a piece of paper.

9 All right. This is defendant's motion.  
10 Mr. Blackwell, are you prepared to speak?

11 MR. BLACKWELL: I am, Your Honor.

12 THE COURT: All right. Go ahead.

13 MR. BLACKWELL: Good morning, Your Honor. If I  
14 may I approach with copies of slides that we would show this  
15 morning.

16 THE COURT: Okay. Ms. Zimmerman, that's all good  
17 with you, right? You've seen them.

18 MS. ZIMMERMAN: That's fine.

19 THE COURT: Got them.

20 MR. BLACKWELL: Good morning again, Your Honor.  
21 It's a pleasure to be before the Court. It's been a long  
22 time since we've been able to see Your Honor on this case.  
23 I'm not certain that we've been totally missed, Your Honor.

24 What I want to start with this morning in talking  
25 about our motion for the Court to reconsider its decision on

1 general causation or what we call the undisputed facts.  
2 They were the undisputed facts at the beginning. I call  
3 them the transcendent facts because they haven't changed in  
4 this case, that no peer-reviewed study has ever included  
5 that the Bair Hugger system causes surgical infections. No  
6 peer-reviewed study has demonstrated that the Bair Hugger  
7 system use increases surgical infections at a right above  
8 the background risk.

9 And, finally, that the FDA has rejected the  
10 plaintiffs' position that the Bair Hugger system causes  
11 surgical infections and recommends use of forced-air warming  
12 due to the proven patient safety benefits including  
13 reduction in surgical infections.

14 Since we were last before the Court on the Daubert  
15 motions, a number of things have transpired. We've had a  
16 trial. We've had some rulings that we think all create,  
17 have generated compelling evidence and a compelling reason,  
18 new evidence for the Court to reconsider its general  
19 causation decision.

20 And, Your Honor, this compelling evidence goes to  
21 the theories that plaintiffs have espoused in this case,  
22 which Your Honor has articulated as their two causation  
23 theories. The first of which is that the operation of the  
24 Bair Hugger disrupts operating room air flow and causes  
25 ambient bacteria to be deposited into the wound; and then

1 the second that the Bair Hugger machine itself harbors  
2 bacteria and that they escape the unit during surgery.

3 So the evidence that we've heard, Your Honor, is  
4 was at the pretrial stage of Gareis with the Court's ruling  
5 on the harboring bacteria issue. It was also during the  
6 trial itself where we actually got to hear the testimony of  
7 Dr. Elgobashi, and we got to see the CFD in action, both of  
8 which were new as a post-Daubert. And then there have been  
9 post-trial notes with this back to the Jeans study and the  
10 International Consensus meeting, which all three are new.

11 So I'm going to focus in particular on the  
12 plaintiffs' three medical experts: Drs. Samet, Jarvis and  
13 Stonnington. And the three essential components of the  
14 plaintiffs' case as they try to make out their theories of  
15 causation. The first being the McGovern study in 2011, what  
16 we've referred to as the Observation study, Your Honor.  
17 And significantly confound the study but is nonetheless the  
18 only study that provides a positive risk assessment of the  
19 relationship between the Bair Hugger use and an infection.

20 The Elghobashi testimony and the CFD, which is  
21 critically important to the plaintiffs' case, is the only  
22 evidence that the plaintiffs put on purporting to establish  
23 a causal mechanism in the real world. He's the only air  
24 flow fluid dynamics expert that was offered in the  
25 plaintiffs' case or that exist in the plaintiffs' case. And

1 then, finally, Your Honor, the plaintiffs' theory of the  
2 Bair Hugger harboring bacteria.

3 So what I'd like to do is to take a few minutes to  
4 talk about Elghobashi and the relevance of the CFD then put  
5 that aside and as well the harboring bacteria and then spend  
6 the bulk of my time on Your Honor's questions that you posed  
7 to the parties.

8 So starting first, in reverse order with the  
9 harboring bacteria, Judge Ericksen, I won't say much more  
10 than Your Honor said in response to a motion we filed on  
11 this particular issue on the Gareis trial, and the Court's  
12 ruling that the harboring bacteria theory wouldn't be  
13 allowed to proceed. And as the Court said in the Court's  
14 Order, no expert brought to the Court's attention has tested  
15 the air coming out of the Bair Hugger's blanket and  
16 discovered escaping colony forming units. This test would  
17 be feasible. It is certainly not cutting edge given the  
18 resources plaintiffs have spent on generating expert  
19 testimony for this case, this test absence is curious.

20 So that particular ruling, Judge Ericksen, wasn't  
21 specific to the facts of Gareis. We went through the  
22 general causation argument. This was a fundamental theory  
23 the plaintiffs had from day one in this case and they've had  
24 ample time to develop the expertise. They haven't. The  
25 time has come and gone. If they have anything with respect

1 to -- if they had anything with respect to proof that the  
2 Bair Hugger harbors bacteria and that that is somehow being  
3 emitted from the blanket into the operating room, and as the  
4 Court is right that the absence of this particular evidence  
5 is curious given it has been there since day one and was an  
6 issue that was addressed at the general causation.

7 THE COURT: I'm sorry, I was bitten or stung or  
8 whatever it is on the eye by one of those gnats that has  
9 taken over the state in the last couple of days, so this has  
10 nothing to do with you. Every once in a while I can't see  
11 so, but anyway just to let you know it doesn't have anything  
12 to do with you.

13 MR. BLACKWELL: Thanks to those gnats, when I go  
14 to my farm, I have to work in my bee keeper's veil because  
15 they are so bad.

16 THE COURT: I read up on them after this happened,  
17 and they're alleged to be buffalo gnats, I think. Yeah, you  
18 don't want to know about the mechanism, anyway. I'm sorry,  
19 I thought I --

20 MR. BLACKWELL: No worries, Your Honor.

21 So the essential argument with respect to the Bair  
22 Hugger harboring bacteria theory, Judge Ericksen, is that  
23 the time is up on that theory. It was an issue at general  
24 cause. It's been there since day one in the case. They  
25 don't have anything today. They don't have anything

1 yesterday, and they haven't had anything in years worth of  
2 yesterdays, and so the time is up on that.

3 I'd like to turn then to talking about  
4 Dr. Elgobashi and the CFD from Dr. Elgobashi. And the  
5 pertinence of this and kind of what we learned is that as  
6 the Court knows with the plaintiffs' air flow disruption  
7 theory, that is that the Bair Hugger disrupts air flow in  
8 the operating room and causes ambient bacteria, airborne  
9 bacteria to deposit into the wound, the mechanism by which  
10 this happens, the plaintiffs purported to show through  
11 Dr. Elgobashi that he was going to show and his CFD is going  
12 to demonstrate how it is that the exhaust heat and air from  
13 the Bair Hugger vitiate this force field of air that is  
14 otherwise protective of the surgical site but for the Bair  
15 Hugger vitiating this causing the contaminated particles to  
16 rain down on the wound. So there's essentially their force  
17 field theory.

18 What is critically important about the causal  
19 mechanism, Your Honor, is that if the plaintiffs don't have  
20 a causal mechanism, they don't have any causation  
21 fundamentally. The McGovern study confounding though it may  
22 be never establishes causation. It doesn't purport to be.  
23 The authors never said it did, so the causal mechanism is  
24 very important.

25 What I'd like to be able to do is to contrast what

1 we heard pre-Daubert with respect to the CFD, Dr. Elgobashi,  
2 and the force field theory, and then what we heard, what we  
3 learned since Daubert that is new since that time in that  
4 regard.

5 So there are three, well, maybe there are two, but  
6 maybe three issues that we have with Dr. Elgobashi's CFD and  
7 the force field theory. Your Honor, the first is that it  
8 doesn't provide any support for the plaintiffs' theory that  
9 the Bair Hugger is vitiating this laminar air force field  
10 and causing particles to rain down. It doesn't support  
11 that, and that this we learned during the trial, and I'll  
12 show Your Honor what we mean by that in just a moment.

13 And, second, given the boundary conditions that  
14 were used for that CFD, the CFD doesn't fit the facts of  
15 this case. It just doesn't fit. It's not a real world exam  
16 and, in fact, the conditions used could not possibly apply  
17 to any case in this entire MDL. And the fact that it fails  
18 to show wound inoculation that it fails to show the  
19 mechanism by which the Bair Hugger supposedly contributes to  
20 contaminated particle landing in the wound is another reason  
21 that it fails to fit.

22 And Your Honor may recall or can easily see that  
23 on page, it was page 10 of plaintiffs' opposition to our  
24 summary judgment motion, what they said, told the Court the  
25 CFD is going to show how these particles entered the

1 surgical site. It would be seen, shown on the CFD into the  
2 surgical site and by implication contaminates the wound.  
3 And given that the CFD doesn't show what the plaintiffs'  
4 claimed and told the Court that it would, for the plaintiffs  
5 to try and use one of their experts to try to use the CFD to  
6 prove causation is truly a great inferential leap when all  
7 is said and done because it doesn't show what they purported  
8 that it would.

9 So Your Honor, to take a look at the first  
10 instance what they said versus kind of what we heard. So  
11 looking, first of all, at the opening statement from Gareis,  
12 where here the counsel is telling the jury about this  
13 laminar flow, what they say it's kind of a force field  
14 intended to protect the patient. And what the Bair Hugger  
15 does, what happens then? It interferes with the force field  
16 that's intended to protect the patient. That's what  
17 happens. That's what the evidence will show in this trial.

18 But it wasn't just the lawyers making statements  
19 in opening statements. Their experts, the Jarvis, Samet and  
20 Dr. Stonnington also embraced the force field theory,  
21 whether it's called force field, unidirectional flow, same  
22 thing. We can see that Dr. Jarvis embraces the force field  
23 theory. The idea that we control skin cells on the skin for  
24 our prep, and we try our best to control the skin cells  
25 through this unidirectional air through this force field.

1 Dr. Samet, same thing. Disruption of the  
2 protective unidirectional flow. And then, finally,  
3 Dr. Stonnington is basically creating this force field that  
4 the patient is in and all that stuff is being exhausted to  
5 the returns or the exhaust fans in the room.

6 What Your Honor was told, and we spent quite a bit  
7 of time addressing this in the context of the arguments in  
8 Daubert over the CFD and Dr. Elgobashi, was that the CFD  
9 would demonstrate this force field and how the Bair Hugger  
10 vitiates. And, in fact, here in opposition to our motion to  
11 exclude Dr. Elgobashi. Dr. Elgobashi's CFD shows that when  
12 the Bair Hugger is off, the HVAC system acted as it was  
13 supposed to and prevented ten micron particles from reaching  
14 the sterile field. However, when it was turned on, an  
15 exponential number of squames reached the surgical wound,  
16 the patient and the table where the implant and other  
17 surgical tools are located during the procedure.

18 So this is the theory of air flow of disruption,  
19 that there's a force field, the Bair Hugger vitiates it, the  
20 CFD shows the mechanism by how this occurs and that these  
21 particles then will land underneath the surgical wound.  
22 That's what we heard.

23 What we learned about this entire force field  
24 theory at the trial was the complete opposite from their own  
25 expert Dr. Elgobashi who says that the force field theory is

1 absolute rubbish. "Anybody claiming there's laminar flow  
2 and it creates a force field. Right? It's not just  
3 rubbish. It's absolute rubbish." He says it's, "absolute  
4 rubbish, and I give an excuse because that person does not  
5 know turbulence."

6 THE COURT: I see that, and I remember him saying  
7 that, and I'm just not sure -- I wonder if you're  
8 overstating a little bit what he meant by that. He wasn't  
9 saying there's no -- I mean he obviously wasn't saying  
10 there's no effect on the flow, but he as a precise scientist  
11 was saying it's not a force field. And, you know, a force  
12 field might be a misleading way to characterize it, but it's  
13 not a force field. It's not -- and then, of course, laminar  
14 flow.

15 But I didn't take from his testimony at the time,  
16 and I don't take from these words that he meant there's  
17 absolutely nothing to the effect on the direction. I don't  
18 think he ever said that the fan coming down from the top of  
19 an operating room doesn't do anything. But I mean true  
20 enough, he did say force field no good, laminar flow no  
21 good, I agree with you there.

22 MR. BLACKWELL: But, Your Honor, taking Your  
23 Honor's point, what he did say in saying that there is no  
24 laminar flow in the operating room is he said that it was  
25 all eddies. It is all turbulence. It all rolls around, so

1 the very premise that there is this curtain of air that  
2 keeps the surgical site completely sterile but for the  
3 disturbance of the Bair Hugger, that is what vitiated was  
4 the idea that that even exists, not that there is no impact  
5 from the HVAC grille in the ceiling or anything else that  
6 generates there in the operating room. There just isn't  
7 this protective force field in the first place. They're  
8 just all eddies, which means that anything that might get  
9 caught up in any of the eddies in the operating room, fair  
10 game for picking up a particle and moving it around and that  
11 it would not all be excluded by this curtain force field but  
12 for turning on the Bair Hugger. And so that was the take  
13 away from that.

14 Now, so to the extent that the plaintiffs and none  
15 of the plaintiffs' experts qualified this force field by  
16 saying the force field doesn't really mean that, Your Honor,  
17 obviously. And they, in fact, they characterized it and the  
18 way that I described it, it showed Your Honor's language,  
19 each of the three experts. What Dr. Elgobashi did was a  
20 large eddy simulation, as Your Honor knows, and even those  
21 large eddy simulations, in any event, he says, "so really  
22 any large eddy simulation with particles I consider suspect  
23 at this time." And there's a reason that he considered it  
24 suspect, and it's because of all the turbulence and eddies  
25 and air flows and air currents that exist in a room. How do

1 you go back retrospectively and recreate that?

2 But, Judge Ericksen, I don't want to stop there  
3 because what is the point of the CFD? What's the point of  
4 the simulation if it doesn't ultimately show how particles  
5 supposedly contaminate the wound? That would be the point.  
6 The CFD was supposed to show that the Bair Hugger air  
7 currents air flow contaminates the wound.

8 And what was said in the trial itself in that  
9 regard was starting the opening statement, the CFD, Your  
10 Honor, depicted a knee. As Your Honor remembers, the Gareis  
11 case involved a hip. And plaintiffs' counsel's comment was  
12 "the same principle is true for hips or knees." Now this,  
13 of course, will be a concept we'll talk about when we get to  
14 the Jeans case, Your Honor, where there's a hip, knee thing  
15 to talk about.

16 But here it's clear that counsel says that  
17 Dr. Elgobashi, he knows exactly how many of these squame  
18 size particles end up around the surgical incision, and they  
19 make it clear in the closing argument that the plaintiffs  
20 argue that "the evidence is abundantly clear that the Bair  
21 Hugger causes infections, and then we have Dr. Elgobashi's  
22 work proving it on computational fluid dynamics," so  
23 supposedly this proves the causal mechanism. This is the  
24 ultimate culmination of it is that we see particles land in  
25 the wound.

1           But what we actually learned by in the trial there  
2           were a couple of things, and as Your Honor may remember, the  
3           video that the jury saw from the plaintiffs' was a version  
4           of Dr. Elgobashi's CFD that had been sped up, so it wasn't  
5           played at the normal speed at all. We took the original  
6           speed and played it ultimately for the jurors to see, and  
7           once you played it at the regular speed, what we learned is  
8           that no particles at all reached the surgical wound. The  
9           CFD does not depict any particles reaching the surgical  
10          wound.

11           Now, that isn't just a testing artifact because  
12          the CFD, as the plaintiffs explained to us, involved  
13          millions of hours of calculations meticulously done, et  
14          cetera. There's a reason that there are no particles seen  
15          landing in the particular wound, and it has to do with  
16          something called the thermal plume because what  
17          Dr. Elgobashi modelled, what he modelled with respect to  
18          this is the body's temperature, the temperature of the human  
19          body emanating from that particular wound. And the thermal  
20          plume itself is protective against small particles landing  
21          there.

22           And we know that, Your Honor, because the National  
23          Institutes of Health did its own CFD. This would have been  
24          a Dr. Memarzadeh study back in 2010, where they found  
25          exactly the same thing. The CFD, joint surgery, they found

1 exactly the same thing that the particles did not land in  
2 the wound because the thermal plume deflected them and  
3 that's what was shown ultimately on the CFD was the impact  
4 of this thermal plume, and so no particles landed there.

5 Now, plaintiffs will say that the CFD was only I  
6 think they said in their papers 45 seconds worth of this.  
7 Your Honor, they had as much time to show as much as they  
8 wanted to barely show how much deposits in the wound,  
9 particularly when this is the mechanism that they are  
10 claiming shows that the air flow disruption created by the  
11 Bair Hugger causes contaminated particles to land in the  
12 wound shown through the CFD and it does not support that.  
13 It does not show that. Instead it illustrates a principle  
14 called thermal plume, and it shows the opposite, that  
15 particles did not land in the wound from the Bair Hugger.

16 So when I say, Your Honor, that the CFD doesn't  
17 fit the facts of this case, this has to do with the boundary  
18 conditions, and Dr. Elgobashi said he tried to create the  
19 sort of perfect ideal environment. It's not the real world,  
20 and he says that no CFD or CFD is only going to be as good  
21 as the boundary conditions that you put into it, to what  
22 extent it reflects what takes place in the actual  
23 environment. And so what we know about the boundary  
24 conditions here is that they are vastly different than any  
25 reality.

1 First of all, you can look at the people that were  
2 in the CFD, at least the illustrations of people. Only  
3 three. They don't move. There are no movements or motions  
4 from any person. None of the persons in this mocked up  
5 operating room contained any bacteria or particles. The  
6 average human sheds roughly 20 million squames an hour. So  
7 in a typical surgery that lasts, if the surgery lasted even  
8 one hour, you typically have five operating room personnel  
9 in that surgery. Your Honor, that's a hundred million  
10 squames from just the people in the operating room, and the  
11 movements would create all manners of air turbulence, doors  
12 opening and closing, lamps generate heat, fans generate  
13 heat, anesthesia machine generates movement, so all of those  
14 are real world conditions.

15 So there is not a way to take the CFD and make a  
16 real world extrapolation of what happened in the real  
17 operating room when you don't just have a person standing  
18 statically that shed no particles, no doors open, no other  
19 equipment is turned on. That's not the real world, and then  
20 to make an extrapolation of what happens in a real operating  
21 room environment where there are multiple innumerable,  
22 uncounted, unquantified air currents swirling all around, a  
23 hundred million particles from OR personnel alone, in  
24 addition to other particles that are in the room, and they  
25 extrapolate from that that being the cause of one bacterium

1 reaching the surgical wound had to have been the Bair  
2 Hugger.

3 THE COURT: So that's your bridge between general  
4 and specific causation, right? That you're saying because  
5 this can't show any specific, that it's a failure of general  
6 causation because there's no mechanism shown by which it  
7 could prove or indicate causation in the specific case,  
8 right?

9 MR. BLACKWELL: That is right. That the failure  
10 of any possible proof on specific cause is tantamount to a  
11 general cause challenge.

12 THE COURT: Citing?

13 MR. BLACKWELL: I'm going to get that, Your Honor.  
14 Citing more than Blackwell.

15 So for the case, it was in response Your Honor to  
16 question number one was that we don't know of any  
17 methodology that would allow the retrospective  
18 reconstruction of the multitude of air currents that exist  
19 on the typical operating room to be able to scientifically  
20 and reliably determine which air currents may be associated  
21 with the Bair Hugger and then to assess whether or not they  
22 are causal in causing the inoculation of a wound.  
23 Retrospectively, we just don't know any scientific  
24 methodology that does that, and that really is the response,  
25 Judge Ericksen, as opposed to which case says it. We just

1 don't know what science says it, and a methodology that  
2 would permit that in a retrospective way when there's been  
3 no data collected then even to know what the first air  
4 current was much less which other ones were in the room and  
5 how they shifted and evolved and changed over the course of  
6 a surgery as they would.

7 But as to Dr. Elgobashi's CFD boundary conditions,  
8 our point with respect to that ultimately on the fifth issue  
9 and not replicating real world conditions, it is simply a  
10 cite here from the *In Re Mirena* IUD case where here the  
11 Court does find a fairly straightforward, I think,  
12 conclusion that this doctor's constrained testing conditions  
13 don't reliably replicate the conditions inside a woman's  
14 uterus, and therefore render his methodology and the  
15 conclusion he draws from it unreliable.

16 The facts of this case as it relates to the CFD is  
17 far worse than the scenario, frankly, in this *In Re Mirena*  
18 case because at least this was a medical professional who  
19 was attempting to address what was simply a medical issue.  
20 What we have here with respect to Drs. Jarvis, Samet and  
21 Stonnington are medical persons whose opinions are based  
22 upon or premised upon aspects of fluid dynamics, which is  
23 not their area of expertise, none of those have a background  
24 in fluid dynamics, to begin to assess how it is air currents  
25 in the room, this again referring to the plaintiffs' air

1 flow disruption theory and airborne bacteria, how the air  
2 currents in a room will have propagated to cause a single  
3 bacterium, because the plaintiffs have found that it only  
4 takes one, to have landed on the wound.

5 So with that said, Your Honor, I want to turn to  
6 Your Honor's questions, and starting with question number 1.  
7 And, Judge Ericksen, this was the discussion I was having  
8 just a moment ago when the Court asked about the alternative  
9 causes, given all the possible causes of plaintiffs'  
10 infections, what scientifically reliably method or process  
11 could plaintiffs use to eliminate likely causes and identify  
12 the Bair Hugger as the most probable cause of infection, and  
13 how does this process or methodology satisfy Daubert?

14 So that has baked into it obviously a general  
15 cause and a specific cause aspect to it, and the first the  
16 general cause aspect of it is we don't think there's any  
17 reliable scientific basis for even ruling in the Bair Hugger  
18 as a possible cause in the first place, that the only real  
19 world study that the plaintiffs have to rely upon is the  
20 McGovern study, and McGovern itself disclaims causation, and  
21 back in McGovern, they have no causal mechanism that's  
22 reliable that will connect the dots for them because the  
23 Elghobashi CFD doesn't do it. All of the other mechanistic  
24 studies that the plaintiffs want to point to do not do that.  
25 They all disclaim causation, and so that lack of a mechanism

1 for ruling this in in the first place.

2 And so beyond that, as to the specific cause kind  
3 of a question, all of the approaches that we can contemplate  
4 for being able to figure out these alternative causes, all  
5 end up in the final analysis with too great of an analytical  
6 leap between the conclusion the plaintiffs want to reach and  
7 the facts. And we think about this in two different ways.

8 First, with respect to the air flow disruption  
9 theory that given a room in the real world with multiple  
10 unidentified and unquantified sources of air flow disruption  
11 interacting with each other, we're not aware of any  
12 methodology that would permit anybody to retrospectively go  
13 back in time to reliably assess which air currents were  
14 probably causal in the single airborne bacterium landing in  
15 a wound and associate that with the Bair Hugger.

16 So knowing that retrospective with respect to the  
17 air currents but not only that, there's also this same issue  
18 with just the ambient bacteria because the plaintiffs' air  
19 flow disruption theory is premised upon having both the air  
20 currents generated by the Bair Hugger and saying that  
21 whatever the bacteria is is one that is somehow propelled by  
22 the Bair Hugger. So likewise, Your Honor, given a room with  
23 potentially millions of unidentified and not specifically  
24 quantified bacteria potentially given that there millions  
25 and millions of particles in there unrelated to the Bair

1 Hugger from just the OR personnel. We're not aware of any  
2 scientific methodology that would permit a reliable  
3 retrospective assessment of which bacteria, if any, were in  
4 fact causal or how they could be reasonably tied to the Bair  
5 Hugger, that how would you boil that down in a retrospective  
6 way when there was never any data collected with respect to  
7 that at the time, particularly when we're talking about  
8 commensal bacteria in these cases. And this particular  
9 point, the latter point, Judge Ericksen, is compounded when  
10 we consider the prospect of hematogenous seeding of wounds  
11 blood-borne post-surgery and other post-op sources of  
12 infection only compounds this particular problem.

13 Now, in terms of the various sources of air flow  
14 disruption, I don't think it's really debated that there are  
15 any number of them and that Dr. Elgobashi's CFD didn't  
16 address them. He essentially says so. Where here he's  
17 talking about things he could have modelled in the CFD. And  
18 what it comes down to is that anything that blows air has a  
19 fan, generates heat, any of those things have the tendency  
20 to create turbulence in the air that would affect how  
21 particles propagate in the room and even would affect the  
22 number of colony forming units in the room depending on how  
23 it is that the personnel in the room move. So the fact is  
24 that the air is in a constant state of unsteadiness in the  
25 room. So we have here a situation where the air currents

1 are unidentified, and they're unquantified, and they're not  
2 studied, and they can't be done retrospectively in any case  
3 in the MDL. And we think in that context this does set the  
4 scenario that the Court was addressing in the *Bland* case  
5 here in the Eighth Circuit where the causal condition is  
6 unknown in the majority of cases you can't use a  
7 differential diagnosis that Bland's exposure to freon was  
8 the most probable cause of Bland's exercise-induced asthma.  
9 You can't jump to that conclusion. As a practical matter,  
10 Dr. Sprince's causation opinion could not possibly be based  
11 upon a reasonable degree of medical certainty.

12 And so this comes back to you've got an operating  
13 room that will have multiple particles in it, hundreds of  
14 millions of particles from just the people, let alone all  
15 the other stuff in the OR. You've got dynamic air currents  
16 from anything that moves, has a fan or generates heat, and  
17 you have here medical experts, not air flow experts, medical  
18 experts jumping to medical conclusions based upon theories  
19 of air flow disruption that they're not even qualified to  
20 address and when they haven't even assessed the factors.  
21 They haven't identified them much less quantify them, and  
22 they can't in any case.

23 And so what we know, Your Honor, in terms of how  
24 difficult this particular task is, and we think is fatal to  
25 the plaintiffs' case is exactly what was being addressed in

1 part by the Oguz case, sorry, the Oguz study from 2017,  
2 where here the authors were saying kind of how do we get at  
3 this. They're saying only a large randomized controlled  
4 trial of forced-air warming versus non-forced-air warming  
5 would help to decide if patient outcome is influenced by the  
6 use of forced air devices.

7           Until this study has been performed, the  
8 hypothesized risk of forced-air warming remain uncertain  
9 with a multitude of factors influencing the patient's risk  
10 for perioperative infection. Only this kind of study will  
11 be able to answer the question if forced-air warming is a  
12 major influence on surgical wound contamination whose voice  
13 can be reliably detected in a large choir of all of the  
14 factors.

15           And they list what some of the other factors are:  
16 transmission via anesthesiologists, surgeons hand, skin  
17 prep, sterile surgical technique, duration of surgery,  
18 surgical skill, patient-related factors, which Your Honor  
19 can see there and read there including the antibiotic  
20 treatment.

21           So when I say this compounds the problem because  
22 this is on top of the air flow disruption's issue of being  
23 able to assess how the air currents move in the room, what  
24 they are, how many they are, how they infect a particle,  
25 then when we move beyond that the problem is compounded by

1 those additional factors as referenced here in Oguz.

2 Now having said that, Judge Ericksen, you may  
3 recall in our papers that we talked about two kinds of  
4 approaches. I think that's at around page 24 or 25,  
5 although they don't have page numbers, but if Your Honor is  
6 trying to find --

7 THE COURT: I'm looking for something else. I'm  
8 looking -- you go right ahead. Don't worry about me. But I  
9 will look for page 24 or 25 of your thing since you already  
10 -- let's see here.

11 MR. BLACKWELL: So we mention the genome, genome  
12 sequencing, and we talked about a CDC standard, and I want  
13 to talk about those briefly in the framework of what we're  
14 discussing now.

15 Were the Court to decide that there is anything  
16 remaining of this Bair Hugger harbors bacteria theory, there  
17 would be a method of being able to determine if a bacterium  
18 could be associated with the Bair Hugger.

19 THE COURT: Here's the page I was looking for.  
20 Answers everything. Okay, I'm ready.

21 MR. BLACKWELL: No worries, thank you, Judge.

22 So basically about this genome sequencing, this is  
23 what would be the vaunted heater-cooler, the baker pad  
24 (phonetic) here in this case, and this particular  
25 heater-cooler study involved what I call a designer type of

1 bacterium, the so-called M. chimaera bacterium that isn't a  
2 commensal bacteria on the human body. And this was a study  
3 where they were able to trace the source of it as an  
4 outbreak in a hospital by finding the bacteria in a wound  
5 and then finding it also in the machine and being able to  
6 eliminate the other kind of likely sources of causes for it.

7 By the same token, I think that that's a  
8 transcendent thread between the genome sequencing and the  
9 gold standard, which is a standard that would attribute to  
10 Dr. Jarvis when there's an outbreak in a hospital in  
11 Tennessee when he was with the CDC, and essentially what he  
12 did was a common sense thing in a way that he didn't just  
13 jump to the conclusion of what is the cause of this. They  
14 created a list of all the possible plausible causes of it  
15 and went through a process of elimination based upon those  
16 that were reasonably ruled in as prospects and then  
17 examining which of those to determine specific cause. And  
18 by using a multi-variate analysis meaning basically you're  
19 controlling four different confounders in it to arrive at a  
20 conclusion, a sensible thing to do.

21 If it were possible here, could you use that  
22 approach here in this case to arrive at a specific cause  
23 determination? It brings us back full circle again of  
24 begging the question of how could you in a retrospective way  
25 be able to do an assessment of how all of these various air

1 currents would affect a single particle to cause it to  
2 inoculate a wound and then attribute that to a Bair Hugger,  
3 that the methodology, scientific methodology isn't there to  
4 be able to do that retrospectively. There's no data that  
5 will allow anyone even to point to or look to. And to the  
6 extent that plaintiffs want to look to something like  
7 Elghobashi or what have you, again, it isn't a real world  
8 test because it doesn't take into account all of the other  
9 factors that are dynamic, that are impactful in the room.

10 It's the equivalent of catching a cold on a plane  
11 and deciding that because somebody sneezed back in row 26,  
12 that must be the cause, when there are infinite number of  
13 other causes to be taken into account.

14 THE COURT: Proof that you fly in the front of the  
15 plane. Be careful of what you say about us in row 26.

16 MR. BLACKWELL: I neither admit nor deny, Your  
17 Honor.

18 But going on, Judge Ericksen, to question number  
19 two, the Jeans study. And the Jeans study observes a  
20 significant decrease in the MSSA infection rate,  
21 predominately in the hip replacement group, after the  
22 introduction of the MSSA screening program. How do these  
23 findings, which suggest that MSSA screening confounded the  
24 McGovern Observational Study, impact Dr. Samet's opinion  
25 that the Bair Hugger device constitutes a substantial

1 contributing cause? What relevance do these finding have to  
2 hip surgeries in this MDL proceeding? To the knee  
3 surgeries? How many cases in the MDL involve hip surgeries?  
4 How many involve knee surgeries? And I think we answered  
5 the last question on papers, but --

6 THE COURT: I read 1,700 versus 3,000.

7 MR. BLACKWELL: Roughly 1,700 hips and roughly  
8 4,000 knees, you know, thereabouts. And so if I could just  
9 talk about the background of McGovern because the Jeans  
10 study completely fits within the framework of McGovern. It  
11 involves the same study author Dr. Reed, who was the senior  
12 author of a McGovern Observation study and also of the Jeans  
13 study. The McGovern Observation study took place over a 30  
14 month time period going back to July 2008. And so the first  
15 20 months of that were the Bair Hugger study period. There  
16 was then a three month window of transition to then seven  
17 months study involving the HotDog conducted fabric warming.

18 What we know about this particular study is that  
19 in its conclusion, it arrived at the conclusion that there  
20 was an association between Bair Hugger usage and development  
21 of surgical site infections. We also know about this study  
22 that its senior author, Dr. Reed, has and does today. This  
23 has been a new thing since our Daubert ruling, himself  
24 characterizes the Observational study as having significant  
25 confounders. And that's important, Judge Ericksen, because

1 the McGovern studies as an Observation study was a very  
2 fragile one, and fragile in the sense that the numbers were  
3 so small and the differences between the group and the  
4 numbers so tight that even a difference of two fewer  
5 infections in the Bair Hugger group vis-a-vis the HotDog  
6 group would mean the difference between having statistical  
7 significance or losing statistical significance. So a very  
8 fragile study, so this means those confounders also matter  
9 tremendously.

10 So what were some of the confounders? MSSA being  
11 a very significant one that was the subject of the Jeans  
12 study, so it was only MSSA screening for a part of the Bair  
13 Hugger period, perhaps in the last four of the study,  
14 20 years. And then the HotDog period alone that was  
15 mandatory MSSA screening from the get-go for the entire  
16 period.

17 Rivaroxaban, which is this thromboprophylaxis, the  
18 anti-clotting drug. And Rivaroxaban is associated with  
19 itself with infections that was used on the Bair Hugger  
20 period only, never used on the HotDog period. And then the  
21 Gentamicin was used alone for part of the Bair Hugger  
22 period, And this is significant because there is some  
23 bacteria that are resistant to Gentamicin. And there was a  
24 more effective stronger antibiotic used during the HotDog  
25 period.

1           So what we have here are two different time  
2 periods, the Bair Hugger period, which is much longer than  
3 the HotDog period. There was a difference in the type of  
4 warming devices used, but there is also a tremendously huge  
5 difference in the infection control procedures that were put  
6 into place between the respective groups that is the Bair  
7 Hugger and the HotDog.

8           So this Dr. Reed acknowledges, as does the  
9 International Consensus Meeting, which I'll talk about in a  
10 bit, but there was also other independent confounders that  
11 were also involved in the case in this particular study from  
12 blood transfusion, obesity, incontinence, et cetera. And in  
13 terms of numbers in each group, Your Honor, there were 1,066  
14 in the Bair Hugger group and 371 in the HotDog group  
15 initially.

16           Now, what we know is after the McGovern study was  
17 published, the tabulators, Mr. Albrecht acknowledged a  
18 tabulation error in the results, and that tabulation error,  
19 I think he resolved it by adding one to each group, but  
20 there was a tabulation error that affected the odds ratio  
21 ultimately.

22           So enter the Jeans cohort, and what Jeans is  
23 addressing is the question of what it really is to impact  
24 the MSSA, methicillin sensitive staph aureus or does it have  
25 an impact, the MSSA screening, is the world that the Jeans

1 study got involved in.

2 And so what we know, Your Honor, about what was  
3 happening at this particular hospital, the subject of both  
4 McGovern and Jeans, is that the hospital had a problem with  
5 hip infections, hip and MSSA in particular, because as we  
6 learn from reading the McGovern Observation study and Jeans,  
7 is that in a typical case, as the Court sees here even in  
8 this MDL, you have, you have more knee cases by multiples  
9 than hip cases than what's normal, and they point this out  
10 in the McGovern study.

11 In Jeans, they point out that they had almost a  
12 four-to-one difference in hip infections to knees, and this  
13 matters for a couple of reasons. It in part explains why  
14 when the MSSA protocols were used on these hip cases, that  
15 is we saw a two thirds reduction in instances of MSSA  
16 infections, once the MSSA screening was done, antibiotics  
17 used, and the impact on knees was less. The impact on knees  
18 was less because the knees were closer to background levels  
19 to begin with, and the hips were out of control, so we saw a  
20 greater use in there.

21 But regardless what Jeans tells us is that just  
22 the reduction in the infections in hips because there was so  
23 many hip cases itself impacted the overall infection rate  
24 from the entire study forward because the hip numbers were  
25 so significant. So translating what the takeaways are from

1 the Jeans study, prior to that, that study getting done,  
2 there was at least a question mark as to whether or not to  
3 what extent the MSSA screening was a confounder. The Jeans  
4 study confirms that it is a confounder and one which Dr.  
5 Reed has described as a significantly confounded study. And  
6 what does this matter?

7 Again, Your Honor, so in terms of hip MSSA  
8 instances in the Bair Hugger period, there were four clear  
9 MSSA instances. There were eight others that were simply  
10 referred to as staph aureus. So that there would be MRSA,  
11 methicillin-resistant staph aureus or it could have been  
12 MSSA, methicillin-sensitive staph aureus, and so it's not  
13 distinguished. But four of them are, eight could be able.

14 How many during the HotDog period? Zero during  
15 the HotDog period and has MSSA screening the entire time.  
16 Again, why this is significant is it only takes a couple of  
17 changes in the infections in the Bair Hugger period before  
18 the statistical significance gets lost.

19 THE COURT: Because the small sample?

20 MR. BLACKWELL: Because the small sample.

21 But beyond that, there's a question raised about  
22 how do we know that the Jeans study is referring to deep  
23 joint infections as opposed to all these superficial ones.  
24 And I wanted to point out just a couple of things in the  
25 Jeans study for the Court.

1           And the first thing here it says looking here in  
2           the summary where the very purpose of the study is described  
3           in the first sentence where it's talking about  
4           peri-prosthetic joint infection, not superficial infections,  
5           PJIs, are catastrophic and potentially life threatening  
6           complication following arthroplasty. In addition to the  
7           resulting impact on patient morbidity and mortality, PJI is  
8           associated with significant financial cost estimated at 21  
9           million et cetera pounds per case.

10           THE COURT: The plaintiffs point out that the PJI  
11           is later defined as superficial and deep joint.

12           MR. BLACKWELL: No, that's right, but I wanted to  
13           point out one other thing, Your Honor. I'll come back to  
14           that point if I could. So because what we know here is in  
15           terms of what the instance of MSSA is, first, they point out  
16           in the UK between 25 and 30 percent of UK population is  
17           positive for skin or nasal carriage of staphylococcus with  
18           MSSA prevalence estimated at 20 percent.

19           And so why do I point this out because we know,  
20           first of all, in the HotDog cohort, for their's where there  
21           was some 360 women, persons in there given the incidents of  
22           MSSA population, you suspect that some of those to have had  
23           the MSSA infection that was zero because of the protocol,  
24           the MSSA screening protocol which is put into place. But  
25           beyond this, what plaintiffs is saying, hey, this precludes

1 superficial. It does however, Your Honor, listening to the  
2 perspective of the senior author Dr. Reed, what we know  
3 about the Observational study is that it only involved a  
4 study of deep joint infections. And what Reed concludes is  
5 that the Jeans study shows what MSSA is a significantly  
6 confounded with respect to the Observational study. So the  
7 study author himself is saying that the results represent  
8 this confounding.

9 And as Your Honor will see momentarily, the  
10 International Consensus Meeting here in 2018, a few months  
11 ago, they also referred to the Observational study and the  
12 fact that it was significantly confounded. Your Honor, I'll  
13 show this to the Court now since I'm on this.

14 So what the International Consensus Meeting -- so  
15 this is, and by the way, Your Honor, part of what's new  
16 about the International Consensus Meeting in 2018 is that  
17 there were three principle authors of this question of does  
18 use of forced-air warming during orthopedic procedures  
19 increase the risk of subsequent SSIs? There were three of  
20 them, and one of them is our own Dr. Reed, who himself is  
21 helping to craft here a response.

22 And they characterize in here the McGovern study,  
23 which says the authors knew that the Observational study did  
24 not account for infection control procedures that changed  
25 over the study period or account for several possible

1 differences in patient risk factors such as obesity and  
2 fitness for surgery. Other studies of the same cohorts by  
3 the same researchers were both potential impacts unrelated  
4 to change in warming modality including thromboprophylaxis,  
5 that's the Rivoraxaban, and methicillin sensitive  
6 staphylococcus aureus screening.

7 So here when the International Consensus Meeting  
8 is talking about the take away from McGovern, from Jeans,  
9 and whether this is confounding, they acknowledge that it  
10 is, a significant confounder. But the over-arching point of  
11 the Jeans study, Judge Ericksen, is simply confirming that  
12 MSSA is a confounder, that they did not distinguish between  
13 superficial versus deep joint because it was a distinction  
14 that wasn't significant to the authors. The take-away was  
15 nonetheless the same. It confounds the study results in  
16 McGovern was the take-away.

17 THE COURT: I can't remember, does the study say  
18 whether MSSA that's in the population, so does it say that  
19 MSSA infections are coming from within the body as opposed  
20 to being deposited from the outside?

21 MR. BLACKWELL: No, no, it doesn't. It doesn't  
22 get that specific, Judge Ericksen.

23 Now, Judge Ericksen, another question that the  
24 Court asked was essentially what are the implications then  
25 for knees if Jeans relates to hips? And I wanted to talk

1 about that too for just a minute.

2 What Your Honor should be aware of with respect to  
3 the McGovern study that if we took the data for a hips in  
4 the Observational study, that data alone would not have been  
5 statistically significant. If we take the back of the knees  
6 alone, that data is not statistically significant, not one  
7 would be statistically significant alone. Knees and hips  
8 cannot stand, Your Honor, alone.

9 And so what we would be left with, Judge Ericksen,  
10 in different response to Your Honor's other question about  
11 whether or not the sources of MSSA are endogenous or not,  
12 Jeans does speak to that, and it says in 85 percent of the  
13 cases suggest that the majority of the PJIs are endogenous,  
14 that is from the own body, on page 408.

15 THE COURT: I thought I was remembering something  
16 like that, okay. Thank you.

17 MR. BLACKWELL: Yep. It says page 408 of the  
18 Jeans study.

19 THE COURT: Okay.

20 MR. BLACKWELL: So, again, if we were to focus  
21 just on knees, then the knees don't stand alone, Your Honor.  
22 The results wouldn't be statistically significant, if we  
23 were just to focus on knees alone or hips alone. And as  
24 Your Honor kind of well knows if when all is said and done.

25 Let me just move on here, as the Court found here

1 in the *In Re Lipitor*, the reliance on non-statistically  
2 significant trends is not an acceptable methodology. And  
3 here the plaintiffs failed to demonstrate that their  
4 epidemiologist, Dr. Singh, relies on statistically  
5 significant trends is accepted in his field, but  
6 non-statistically significant findings have served as the  
7 basis for any epidemiologist's causation opinion in  
8 peer-reviewed literature, or that standards exist for  
9 controlling the technique's operation.

10 So what is Dr. Samet's response to this? Your  
11 Honor, he simply says that the relative risk ratio of 3.8 is  
12 too big to be dismissed as confounding. And his support for  
13 that is ipse dixit. The idea that any confounded odds ratio  
14 is too big to fail is ipse dixit. There's no basis for it.  
15 It simply begs the question of what is it that explains the  
16 odds ratio? And that brings us back full circle to looking  
17 at the confounders that were examined and then the  
18 confounders that weren't examined, for example.

19 So what do we know about the impact of some of the  
20 confounders here, Your Honor, and I think this was also  
21 recognized in the international consensus meeting response  
22 to question number two where it talked about the impacts of  
23 things including thromboprophylaxis. What we know in this  
24 case is that there was a roughly five or seven month window  
25 when the Bair Hugger and the HotDog were subjected to

1 exactly the same thromboprophylaxis and exactly the same  
2 antibiotic. In an apples-to-apples comparison, there was no  
3 difference between them in terms of infection rates. It was  
4 the same.

5 Mr. Albrecht, one of the study authors, says he  
6 didn't have to do the calculations to know that if you just  
7 took that confounder alone and equalized it for both the  
8 Bair Hugger and the HotDog, there would be no difference in  
9 the results.

10 But then we also know there's an impact of even  
11 the start date in McGovern on statistical significance  
12 because there was data going back eight or nine months  
13 before the start of the McGovern study itself in 2008, data  
14 related to the Bair Hugger use. That data was swept in and  
15 used by the Jeans study by the same authors but wasn't used  
16 with respect to the Observation study. If that data had  
17 been used, there would have been no statistically  
18 significant difference in the first place between the two.

19 So this is another way of talking about the  
20 fragility of it, Your Honor. So we talked about what  
21 difference a number makes. Here that it can make a huge  
22 difference because of the difference in the numbers being  
23 just that small.

24 And as to Dr. Samet, his doubling down on the 3.8  
25 odds ratio as he did in his recent affidavit in response to

1 this, he knows that the 3.8 odds ratio is a wrong number  
2 because he knows about the data calculation, that the odds  
3 ratio should have been 2.86 in the first place given that  
4 Albrecht himself says there was a tabulation error. So  
5 Dr. Samet knows that, disregards it, instead embracing it's  
6 too big to fail, kind of odds ratio perspective based upon  
7 ipse dixit. And not only that, but he continues to embrace  
8 the Augustine 2017 study.

9 So when I talk about McGovern being the only  
10 study, that is not completely accurate because there was an  
11 Augustine study that first everybody embraced for like a day  
12 and then everybody ran away from it the next day because it  
13 was a completely fraudulent study purported to be a  
14 comparison between the HotDog and the Bair Hugger at Nassau  
15 Hospital in Upper State New York claiming that the Bair  
16 Hugger performed poorly compared to the HotDog.

17 It turns out the hospital never even used the Bair  
18 Hugger, and then so he published this in a pay to play  
19 journal somewhere. And Dr. Samet embraced that, snapped it  
20 up. The lawyers have since disavowed it but not Dr. Samet  
21 and not Dr. Jarvis. Although, it's a completely discredited  
22 study because it contains, frankly, falsified data in it,  
23 whether that's intentional, accidental, I can't say. I just  
24 know it's wrong, and accepted is wrong.

25 THE COURT: What testimony or evidence is there

1 setting aside lawyer argument and positions that the 2017  
2 Augustine study is fraudulent?

3 MR. BLACKWELL: The hospital itself, Your Honor,  
4 submitted affidavits. And the Nassau Hospital indicating  
5 that they never even used the Bair Hugger, so when they saw  
6 the study, was shocked that they were being referenced in  
7 it. And an affidavit was done by Dr. Singer I think was his  
8 name, and he said we never used a Bair Hugger at our  
9 hospital. And beyond that, Dr. Augustine reported knee and  
10 hip data for the hospital, and they said they never even  
11 gave him knee data. So how could it be reported for the  
12 hospital?

13 Then he used a Ridge View Hospital here too in the  
14 study. Ridge View also responded and made it clear to the  
15 extent he was attributing changes in their infection rates  
16 to a switch in warming modalities, they pointed out that  
17 they had put into place a number of infection control  
18 procedures there at that hospital unrelated.

19 THE COURT: But setting aside the content, those  
20 are in the record.

21 MR. BLACKWELL: Definitely in the record, Your  
22 Honor, yes.

23 So I wanted to bring to Your Honor's attention  
24 just a few comments about the Generally Assembly meeting  
25 because, Judge Ericksen, you might remember when we were

1 discussing the International Consensus from 2013, there were  
2 a couple of things that were noteworthy about it, and the  
3 first was that there was one thing that both sides agreed on  
4 could be trusted and was reliable the position taken by the  
5 ICM.

6 The other thing that was noteworthy was that the  
7 plaintiffs took the position that the ICM did not have  
8 complete information, and the ICM did not have complete  
9 information because they didn't have access to  
10 Dr. Elgobashi's CFD was the argument put forth before the  
11 Court for why their data was incomplete.

12 Well, the ICM met. Again, this is post-Daubert,  
13 and this time, Your Honor, they had all the information.  
14 They had the CFD, and the fact the CFD was published in the  
15 ICM, Your Honor. It is referenced at footnote number 8 in  
16 the ICM where it's clear they have it. They also referenced  
17 the mechanistic studies that plaintiffs' experts point to  
18 here whether it be studies by Belani, studies by Desari, the  
19 studies by Legg are referenced in here too. They do a  
20 canvassing of what the current state of the research is on  
21 the question of whether there's a relationship between  
22 forced-air warming and surgical site infections, including  
23 everything that the plaintiff said they didn't see before.  
24 They saw, they referenced, they included in here and, again,  
25 Dr. Reed was an author on the standard this time. He wasn't

1 the last time.

2 And what's apparent, Your Honor, is the vote that  
3 came down this time is there was a strong consensus in 2013  
4 in the 80-something percent something, before they had all  
5 of the evidence that the plaintiffs are saying that they  
6 lacked. Once they got all of the plaintiffs' evidence, the  
7 Elghobashi CFD, the mechanistic studies are all referenced  
8 in it. Now that they have seen it, the consensus is even  
9 stronger that there is no science.

10 THE COURT: The number, but the way they  
11 articulate the consensus is a little different, isn't it?

12 MR. BLACKWELL: It is a bit different but the  
13 thrust of it is the same. The question is does the use of  
14 forced-air warming during orthopedic procedures increase the  
15 risk of subsequent surgical site infections or  
16 periprosthetic joint infections? And their recommendation  
17 was worded a bit differently, Your Honor, where they say,  
18 "there is no evidence to definitively link forced-air  
19 warming to an increased risk of SSIs/PJIs. Alternative  
20 methods of warming can be effective and may be used." And  
21 so the delegate vote was agree 93 percent, disagree 2  
22 percent, and this they describe as a super majority strong  
23 consensus in that regard.

24 THE COURT: How do you respond to plaintiffs'  
25 assertion, I'll overstate it maybe slightly, that the ICM is

1 controlled by 3M?

2 MR. BLACKWELL: Well, Your Honor, two responses to  
3 that. First of all, the plaintiffs were for it before they  
4 were in it because when we talked about the 2013, Your Honor  
5 did engage and Mr. Assaad in discussion around it. 3M, the  
6 ICM has any number of different supporters, funders,  
7 etcetera, that don't influence the decisions that get made.  
8 3M is just one in a number of them that would support the  
9 effort.

10 If you look at the authors in question 2, Joseph  
11 Karam, Mike Reed, Marshall Sangster, they have not yet with  
12 respect to any of the three of these, have any relationship  
13 with 3M to speak of. In fact, Mike Reed is the senior  
14 author on the Observational study that's given us a fair  
15 amount of heartburn, Your Honor. So I think the plaintiffs'  
16 lawyers have said that. It's an argument, again, that  
17 simply lacks any legs other than just an ad hominem comment  
18 from plaintiffs' lawyers.

19 So, in any event, but the point here on the ICM is  
20 they now have all the information that the plaintiffs claim  
21 they didn't have before. Dr. Reed himself was involved in  
22 the articulation of the response to question number 2, and  
23 he wasn't involved at all before, and they come to any of  
24 the stronger consensus ultimately then the recent 2013. So  
25 what is the significance of the fact that the international

1 community, and in the ICM there are hundreds of delegates  
2 that come representing just about every subspecialty in  
3 orthopedic surgery, infectious disease, et cetera.

4 And so the significance here, Your Honor, of the  
5 community support is that widespread acceptance can be an  
6 important factor in ruling particular evidence admissible,  
7 and a known technique which has been able to attract only  
8 minimal support within the community may be properly viewed  
9 with skepticism under Daubert. So I raise this as simply a  
10 factor that the International Consensus group met and  
11 addressed the science that the plaintiffs have proffered  
12 here.

13 So, Your Honor, then as to the Court's last  
14 question that in a world without McGovern, what might the  
15 plaintiffs be able to put forward and rely upon? The answer  
16 to that is not a whole lot. This was the study, as Your  
17 Honor acknowledges here, that the McGovern study supplies  
18 the only estimate of the risk associated for deep joint  
19 infection associated with the use of the forced-air warming  
20 Bair Hugger device.

21 So that's the show. And so we know the CFD  
22 doesn't get them there because the boundary conditions and  
23 because it never shows how it is the Bair Hugger actually  
24 inoculates a wound. No real world conditions. And we know  
25 in these other studies, as the Court has seen this before,

1 the mechanistic studies all conclude no causation.

2 So with respect to those studies, all of the  
3 plaintiffs' experts have pretty uniformly agreed that  
4 McGovern is the study. You know, here is Dr. Samet absent  
5 the quantitative estimate from the paper, while there would  
6 be a quite plausible mechanistic basis for an increased  
7 risk, there would not be an association in the real world  
8 absent McGovern.

9 Jarvis also refers to McGovern in similar ways,  
10 Your Honor, as does here Dr. Stonnington. So, ultimately,  
11 where this case is today, Your Honor, you've heard us in  
12 here once with the simple aphorism that the law should lack  
13 science and not lead it.

14 THE COURT: You should have let me say that. I  
15 knew that was coming.

16 MR. BLACKWELL: You know, I have something else I  
17 was going to say today, I couldn't get up the nerve to do  
18 it.

19 But, Judge Ericksen, in this case it's a little  
20 bit different on the issue of whether the law should lack  
21 science and not lead it because the law has actually spoken,  
22 at least science has actually spoken here. You're the law.  
23 Science has spoken, and what the International Consensus is  
24 is that there isn't any good evidence supporting the  
25 proposition that forced-air warming causes surgical site

1 infections. The view being espoused by the plaintiffs  
2 attorneys and their paid experts is not a view shared by the  
3 scientific and medical community. In fact, it is fairly  
4 uniformly rejected by them. And what's happening here in  
5 this case does put the medical community in quite a quandary  
6 with the saying ICM saying go ahead, and with the prospect  
7 of what happens in the courtroom saying maybe you shouldn't.  
8 And so which way do they go?

9 And so, Your Honor, I will stop with that this  
10 morning unless Your Honor has any additional questions.

11 THE COURT: Thank you very much, Mr. Blackwell.

12 Ms. Zimmerman, are you ready to go ahead now or  
13 did you want to break?

14 MS. ZIMMERMAN: Whichever pleases the Court, Your  
15 Honor.

16 THE COURT: I'm good with going ahead.

17 I assumed you were arguing from the fact that you  
18 were sitting in that seat but maybe Mr. Sacchet.

19 MR. SACCHET: Indeed I am partly, and partly  
20 Ms. Zimmerman.

21 THE COURT: Okay. However you want to do it is  
22 fine with me.

23 MS. ZIMMERMAN: Your Honor, may I approach to hand  
24 up our -- assuming you have no objection.

25 THE COURT: Please.

1 MR. SACCHET: Good morning, Judge Ericksen.

2 THE COURT: Good morning.

3 MR. SACCHET: Michael Sacchet of Ciresi Conlin LLP  
4 on behalf of all plaintiffs in this multi-district  
5 litigation. We have heard about an hour of argument from  
6 Mr. Blackwell and 3M, as well as read millions of papers  
7 that have been submitted over the past six months with  
8 respect to 3M's motion for leave and motion to reconsider  
9 the Court's December 13, 2017, Order on general causation.  
10 Among all of that argument, plaintiffs have yet to hear 3M  
11 acknowledge the legal standard underpinning Daubert,  
12 Rule 702, and its progeny.

13 3M has never mentioned not once in its argument or  
14 in its papers that exclusion is the exception to the rule,  
15 and the comment in the committee notes to Rule 702 make that  
16 clear as do a long line of Eighth Circuit authority  
17 including *Lauzon*. 3M never mentions that the focus of a  
18 Daubert attack has to be on the methodology of plaintiffs'  
19 medical experts, not their conclusions. 3M never mentions  
20 that an attack should be based on scientific certainty.

21 In its 1993 Order, the Supreme Court made clear in  
22 Daubert that certainty is not the standard for there are no  
23 certainties in science. As a result, the Eighth Circuit has  
24 held the same. There are no absolute requirements in *Adams*  
25 *v. Toyota* case. Nonetheless, 3M invokes the 2018 ICM to say

1 that plaintiffs need definitive or absolute proof.

2 3M also never mentions that when there is  
3 conflicting expert testimony, that that precise conflict  
4 goes to the jury not to the District Court. 3M does  
5 acknowledge as it should based on a long line of authority  
6 that only where an expert opinion is so fundamentally  
7 unsupported, so fundamentally unsupported that it could  
8 offer absolutely no assistance to the jury, only then should  
9 it be excluded and indeed 3M was a party to the *Wood v. 3M*  
10 case in which the Eighth Circuit made that statement, which  
11 dates back to *Loudermill* all the way up to this Court's  
12 December 13, 2017, Order acknowledging that that is the  
13 appropriate standard.

14 3M is asking this Court to resolve disputes about  
15 expert testimony in its favor, which is contrary to black  
16 letter authority that says it is an abuse of discretion to  
17 do that, and that's exactly what the Eighth Circuit held in  
18 *Johnson*.

19 All of the cases that 3M cites are inapposite. 3M  
20 hinges its legal argument in its paper and even today on the  
21 Supreme Court's decision in *General Electric v. Joiner* where  
22 Justice Rehnquist upheld the district court's decision  
23 excluding the plaintiffs' expert testimony. In that case,  
24 the plaintiff alleged that his exposure to particular types  
25 of PCBs caused a particular type of lung cancer that he

1 suffered.

2           The expert in that case did not cite any  
3 mechanistic evidence, any epidemiologic evidence. The only  
4 evidence that that expert cited was an animal study  
5 involving infant rats that were directly injected in their  
6 stomachs with the PCB at extraordinarily high levels of the  
7 PCB and consequently developed an entirely different type of  
8 cancer than the plaintiffs suffered. That is why the  
9 Supreme Court in that case said the facts of that case did  
10 not fit the expert's testimony and why there was a great  
11 inferential leap of logic in order to reach the conclusion  
12 that the expert offered there.

13           The same held true in the *Group Health* case that  
14 3M cites from the Eighth Circuit. There the expert  
15 speculated based on a counter-factual about why smoking  
16 initiation rates may be different had big tobacco advertised  
17 differently than it actually did without taking account of  
18 any sociological factors that may have influenced those  
19 decision. In that quote, the District Court out of  
20 Colorado, 3M cites that case. There the expert simply  
21 excluded testimony based on a visual scan of the data  
22 without any rhyme or reason.

23           In *Mems*, the District Court excluded the evidence  
24 because the plaintiffs misrepresented the substance of their  
25 expert's testimony. And in *Luce*, a criminal case that 3M

1 cites, simply stands for the unremarkable proposition that  
2 an in limine order can be changed at a later date. None of  
3 these decisions have anything to do with this case.

4 They also cite *In Re Viagra* where Judge Magnuson  
5 changed his initial Order and a subsequent Order by  
6 excluding a study called the McGwin study. In that case, 11  
7 out of the 23 data points underlying that study were  
8 determined to be flawed, approximately 40 percent of the  
9 data. As a result, both plaintiffs attorneys and the expert  
10 conceded on the record that the study was fatally flawed.  
11 Nothing close to this case. There are no tabulation errors,  
12 which I'll get to.

13 3M also cites a host of other cases, *Mirena*,  
14 *Accutane*, *Zoloft*, *Rezulin*, *Lipitor*. If the Court reads  
15 those decisions, it will see there is one of two  
16 circumstances, either there was no epi and no mechanistic  
17 evidence, which is not the case here on either account or  
18 the expert failed to consider contrary epidemiologic  
19 studies, and it is undisputed in this case that there are no  
20 contrary epidemiologic studies to the McGovern study. 3M  
21 hasn't done it. No one else has. McGovern remains the only  
22 one. So neither one of the two bases by which any of those  
23 MDL courts have excluded expert testimony applies here.

24 3M did, I noticed, in its slide show cited the  
25 *Johnson* case. I was shocked to see it because in that case

1 what happened was the expert attempted to quote "divine, to  
2 divine the mental state of the pilot that crashed a plane to  
3 determine whether the pilot caused the error or whether  
4 there was a product error. And the Court was pretty clear  
5 that attempting to clairvoyantly divine a mental state of a  
6 separate human being isn't a good methodology.

7 In terms of the substance of 3M's attacks as to  
8 each subject matter, I will be addressing 3M's argument  
9 regarding internal contamination, epidemiology and the  
10 McGovern study, the Jeans study, the International Consensus  
11 statement, and 3M's alternative request for 1292(b)  
12 certification; whereas, Ms. Zimmerman will be addressing all  
13 things related to Dr. Elgobashi and CFD, and any additional  
14 questions the Court may have with respect to its order  
15 asking for supplemental clarification on issues regarding  
16 specific causation not general causation.

17 As to the first topic, internal contamination.  
18 Mr. Blackwell spent a surprisingly little amount of time on  
19 this argument, and unfortunately for 3M, it's the  
20 underpinning of their whole motion for reconsideration. So  
21 3M acknowledges in their papers that in order for the Court  
22 to grant reconsideration, this theory must fail leaving only  
23 the air flow disruption theory, which 3M argues also fails,  
24 but the same applies logically that if this argument fails,  
25 the whole motion does as well, and it does fail for a

1 variety of reasons.

2           The first fallacy underlying 3M's argument is that  
3 3M claims that *Gareis* excluded plaintiffs' internal  
4 contamination theory of general causation. It did not.  
5 Pretrial order number 1, paragraph 7, makes clear that any  
6 document relating to the MDL as a whole must be filed in the  
7 MDL. The *Gareis* Order never was.

8           In addition, this Court has already rejected 3M's  
9 argument. 3M made the same claim in the *Axline* bellwether  
10 arguing that rulings from *Gareis* precluded Mr. Axline from  
11 the same claims. And the Court unequivocally rejected that  
12 argument stating, "*Axline* is a separate case and rulings  
13 from *Gareis* do not bind the Court here." The same reasoning  
14 applies a fortiori to the entire MDL. There is no way that  
15 one bellwether order applies with equal force to 5,000 more  
16 cases.

17           3M as a back end argues that, well, the *Gareis*  
18 Order can be logically extended to the entire MDL. I should  
19 mention as well, I've never heard an argument from 3M  
20 addressing the prior two arguments about pretrial order  
21 number 1, paragraph 7 or the *Axline* Order. I would be  
22 curious about what it has to say on reply.

23           In terms of the logical extension argument, the  
24 *Gareis* Order does not logically extend to the 5,000 cases in  
25 this MDL. The Court's Order in *Gareis* expressly said

1 defendants have moved to exclude from the *Gareis* trial  
2 evidence pertaining only to this second theory. And so too  
3 in its conclusion, the Court hinged its analysis on  
4 Mr. Gareis's case specific facts stating no examination was  
5 conducted of the Bair Hugger that was used in the surgery  
6 Mr. Gareis claims caused his infection. So it is clear that  
7 this ruling was limited to one bellwether as all master  
8 cases hold, the *Pinnacle MDL*, the *In Re Welding MDL* and  
9 others that are cited in our papers.

10 3M argues that plaintiffs somehow bear the burden  
11 now to show that the Bair Hugger caused contamination in all  
12 these cases. 3M's conflating general and specific  
13 causation, and I haven't heard the case that Mr. Blackwell  
14 mentioned that shows that failure to prove specific  
15 causation nullifies general causation. It works the other  
16 way around. You prove general causation and then you try to  
17 prove specific causation based on the particular facts of  
18 each case.

19 To the extent 3M makes the additional argument  
20 that more testing is necessary to show that internal  
21 contamination increases the risk of DJI, it's a red herring,  
22 at it fails for a variety of reasons.

23 First and foremost, their own epidemiologist  
24 Dr. Borak admitted at his general causation deposition that  
25 it seems reasonable that the internal contamination of the

1 device could create a risk of patient infection.

2 Second, Dr. Jarvis along with plaintiffs other  
3 medical experts have cited a lieu of studies showing that  
4 the internal contamination of the device poses an infection  
5 risk. One of those studies among others is the Bernards  
6 study, and Dr. Jarvis quotes that study specifically as  
7 stating they identified the Bair Hugger forced-air warming  
8 as one of the medical devices that were involved in the  
9 outbreaks. And it's not just Dr. Jarvis interpreting the  
10 study to say that. The study says it on its face. The  
11 highlighted language a direct excerpt from the study says  
12 the Bair Hugger is designed to create an air flow, dust is  
13 sucked into the machine with filters becoming contaminated  
14 and possibly serving as a secondary source of transmission.

15 Moreover, "we believe the outbreak strain was  
16 transmitted by being carried on a contaminated dust from  
17 within the machines to the exterior during operation."  
18 That's what the author said. That's what they concluded.  
19 That's why Dr. Jarvis in his expert report said besides  
20 McGovern, put McGovern to the side. There are other studies  
21 showing that the Bair Hugger contributes to infection And  
22 here is one.

23 Now, I know that the Court and 3M made mention  
24 analyzed this study at the punitive damages order, and  
25 that's fine, but other authors have similarly concluded that

1 Bernards says what it says. For example, Dr. Beavers  
2 published an article, an epidemiologist in Kentucky, stating  
3 that previous outbreaks of Acinetobacter, the same bacteria  
4 that was in the Bernards study that items such as Bair  
5 Hugger to be reservoirs of infection.

6 So too in Wood, a peer-reviewed article, a  
7 literature view of numerous sources, we conclude that  
8 forced-air warming does contaminate ultra-clean air  
9 ventilation. Avidan, notwithstanding the fact it  
10 tested the Bair Hugger with a blanket, two trials,  
11 30 minutes, severely under powered, nonetheless concluded  
12 that the Bair Hugger should have a distal hose and filter,  
13 which 3M has never done notwithstanding the recommendation  
14 made nearly two decades ago, and still concluded "we have  
15 detected a potential source of nosocomial infection at our  
16 hospital."

17 And the Moretti study that 3M has been citing to  
18 this date reiterates Avidan's conclusion finding that other  
19 studies have shown an increased risk of infection. And even  
20 this month an article offered by Lange et al., I'm happy to  
21 give the Court a copy of it, expressly finds that the  
22 internal contamination of forced-air warming devices is  
23 positively correlated with the amount of bacteria leaving  
24 the device.

25 THE COURT: That's the one you cite in your -- is

1 that the June 2019 study that you've got on your screen  
2 here?

3 MR. SACCHET: Yes.

4 THE COURT: Is that in the record?

5 MR. SACCHET: It is not, but I'm happy to give it  
6 to the Court.

7 THE COURT: We'll get to that later.

8 MR. SACCHET: Okay. And it's not because it was  
9 published just a week ago.

10 THE COURT: But that's the same one that's on  
11 your --

12 MR. SACCHET: Yep, it's on the slide.

13 The second reason aside from the studies showing  
14 that the Bair Hugger causes infection based on its internal  
15 contamination is a group of studies showing that 3M reduced  
16 the filtration efficiency of the Bair Hugger from  
17 approximately 90 percent to as low as 60 percent, never  
18 informed the FDA of the same. Only changed the FDA's  
19 understanding that the Bair Hugger was not equipped with a  
20 HEPA filter approximately a year ago finding that all Bair  
21 Huggers are contaminated with bacteria. 3M doesn't dispute  
22 it in its 30(b)(6) testimony and that the Bair Hugger emits  
23 particles and bacteria from its hose.

24 To be candid with the Court, we acknowledge that  
25 the Albrecht 2011 study and the Reed 2013 study and others

1 were not done with the blanket attached to the Bair Hugger.  
2 We acknowledge that, but there is no basis in science or  
3 fact to conclude that the blanket is a filter.

4 Dr. Jarvis and Stonnington state as much in their  
5 reports. Dr. Jarvis specifically notes, "some have argued  
6 such as 3M that even if the Bair Hugger forced-air warming  
7 air output/exhaust to the blanket is bacterially  
8 contaminated, as documented in the many studies above, the  
9 blanket itself would serve as a second method of filtration  
10 to prevent these contaminants from reaching the surgical  
11 site.

12 THE COURT: Do you think 3M makes the argument  
13 that the blanket is a filter?

14 MR. SACCHET: I think they make the argument that  
15 when the blanket is attached to the blower, that it prevents  
16 the bacteria from leaving the blanket. So whether or not  
17 they call it a filter --

18 THE COURT: Where does it say that?

19 MR. SACCHET: Well, there are documents that 3M  
20 says when you attach the two, that may prevent bugs from  
21 leaving the blanket.

22 THE COURT: But separate from an argument that the  
23 air flow is directed away from the surgical site? Just if  
24 you can tell me where 3M relies on the argument that the  
25 blanket itself is a filter, that would be helpful.

1 MR. SACCHET: Okay. I think that I interpreted  
2 they were talking about internal contamination to depend on  
3 the idea that the blanket is a filter.

4 THE COURT: Okay, all right, got it.

5 MR. SACCHET: I can quote their, like their  
6 failure of proof in *Gareis*, to this date plaintiffs have not  
7 come forward with any test of the Bair Hugger blanket that  
8 shows colony forming units escaping, and they are referring  
9 to the blanket. So it's 3M's argument that the blanket  
10 prevents colony forming units from escaping.

11 THE COURT: I guess I see what you're saying.  
12 That assumes that they're in the blanket which --

13 MR. SACCHET: I'll actually get there. Yeah, I'll  
14 actually get there.

15 And Dr. Jarvis continues, there are no data to  
16 substantiate this claim. Furthermore, there are data to  
17 show that this is not correct. One of the pieces of data  
18 that he refers to is the Tsai 2017 case report from MD  
19 Anderson Hospital in Texas. There it was discovered that  
20 the Bair Hugger exhausted black soot, which was visible  
21 unlike most bacteria, and that the patient was actually  
22 patterned with the exact same air flow porous holes in the  
23 blanket.

24 THE COURT: Yeah, what legal authority is there to  
25 rely on that one case study that Tsai -- however you say it.

1 The one case study, there seem to be cases that say that  
2 there should be, maybe you shouldn't do it or at least at a  
3 very minimum extreme caution with just relying on one case  
4 study?

5 MR. SACCHET: Yeah, that's a good question, Your  
6 Honor. I want to say that the cases that you're referring  
7 to refuse to rely on only case studies for inferring  
8 causation. So most Circuit Courts of Appeal will not accept  
9 a proffer by an expert of hey, I've reached a causal  
10 conclusion based on anecdotal case report.

11 THE COURT: Right.

12 MR. SACCHET: We're not offering in that respect.  
13 We're offering it to show the way in which the Bair Hugger  
14 can put things out of the blanket. That's -- we're not  
15 saying it proves causation. We're saying the Bair Hugger  
16 can release things out of its blanket.

17 THE COURT: As proved by the Tsai case.

18 MR. SACCHET: Yes, among others. Okay, in the  
19 Baker 2002 article, the authors of that study specifically  
20 stated, "there is insufficient evidence to justify the  
21 routine use of forced-air warming during ultra-cleaning  
22 orthopedic surgery. The blanket is not designed to act as a  
23 microbial filter."

24 3M's 30(b)(6) testimony, "there was no reason to  
25 blow particulates into the blanket, which might end up

1 leaving the blanket."

2 Dr. McGovern, I asked him the question, "do you  
3 think bacteria can leave the blanket?"

4 "Yes, bacteria can pass through the blanket,  
5 Exhibit PX11."

6 ECRI, interesting story. As the Court knows, we  
7 argued in our punitive damages motion that 3M lobbied ECRI,  
8 I think it was in 2010 to publish an article endorsing the  
9 Bair Hugger. The documents don't dispute that.

10 In 2017, ECRI published a new article that I don't  
11 think was due to 3M's lobbying. In PX8, ECRI states,  
12 "warming units should have HEPA or better air filters to  
13 reduce the risk that airborne dust, bacteria, and mold will  
14 be blown onto the patient or into the wound end."

15 THE COURT: Where is that?

16 MR. SACCHET: Plaintiff's Exhibit 8.

17 THE COURT: Okay. So just back on the Circuit  
18 Court's saying don't rely on one individual study. Can you  
19 give me an example of a contrary case that says go ahead and  
20 use one individual study for some limited purpose or  
21 something? Is there any -- we've got all of this authority  
22 on the other side, but what's your -- what's the legal hook  
23 to use the Tsai, Mr. Tsai or Mrs. Tsai, or whoever she is,  
24 that experience with the fire and everything.

25 MR. SACCHET: Sure. So I think every Court of

1 Appeals and every District Court would agree that  
2 mechanistic evidence can be used to support causal  
3 inference.

4 THE COURT: So every case? No specific case that  
5 says go ahead and even though you can't use it most of the  
6 time you can't, you can use it for some specific purpose?

7 MR. SACCHET: Well, the reference manual in  
8 footnote 180 expressly says that causal inference can be  
9 done without epidemiologic studies based on a mechanism  
10 alone, so that's what the reference manual says.

11 THE COURT: I'm just looking for a case if you  
12 have one.

13 MR. SACCHET: Okay, *Bonner*. *Bonner* says you don't  
14 need epidemiologic evidence. You can rely on other sources.  
15 In fact, you don't really need peer-reviewed literature.  
16 The *In Re Meridia* MDL, Northern District of Ohio, no court  
17 has ever concluded that you need an epidemiologic study to  
18 prove causation.

19 THE COURT: So you're right about what those cases  
20 say. So if you don't need any then by implication it's okay  
21 to use one isolated study, not a case that says go ahead and  
22 use one isolated study. I take your point that there is  
23 authority for the lack of necessity for epidemiological  
24 proof at all. So I guess what you're saying is if you don't  
25 have to have it at all, then at least we can use the Tsai

1 business.

2 MR. SACCHET: Yes, for a mechanism.

3 THE COURT: Okay, I got it. I interrupted you.  
4 You were talking about. I guess I don't know what you were  
5 talking about when I interrupted you.

6 MR. SACCHET: ECRI.

7 THE COURT: ECRI. All right.

8 MR. SACCHET: And, you know, I just want to be  
9 sure that we're on the same page, Your Honor. So it is also  
10 black letter science and black letter law that evidence  
11 should not be interpreted in isolation or piecemeal or  
12 vulcanized from the rest of the evidence. Evidence has to  
13 be considered in its totality.

14 THE COURT: For summary judgment purposes at  
15 least.

16 MR. SACCHET: For Daubert purposes actually and  
17 under Rule 702. So plaintiffs, you know, oppose 3M's  
18 argument that, you know, just look at McGovern, just look at  
19 that, this doesn't say that, that doesn't say that in  
20 isolation.

21 You know, for example, in the *United States v.*  
22 *Grace* court, the Ninth Circuit expressly determined that it  
23 was error and an abuse of discretion to conduct a document  
24 by document review under Rule 702. The *Milward* Court from  
25 the First Circuit has said the same thing. It must be a

1 great weight of the evidence approach not a vulcanized  
2 piecemeal individual piece of evidence by evidence analysis.

3 Back to the issues of internal contamination. I  
4 mentioned a number of sources suggesting and also making  
5 clear that the blanket is not a filter and that bacteria can  
6 be released from the device even when it is attached to the  
7 blanket. Even assuming arguendo that that were not the  
8 case, so the counterfactual that the blanket is a filter and  
9 that the Bair Hugger doesn't in fact push out bacteria or  
10 particles from the business end of the device, plaintiffs'  
11 experts still conclude it poses a risk of infection based on  
12 cross contamination.

13 So, for example, Dr. Stonnington, "as discussed  
14 further below having a device in the operating room that  
15 harbors known contamination is anathema to the orthopedic  
16 surgeon's goal of attenuating risk of infection in the  
17 surgical environment." The environment of use is key in  
18 this case.

19 On the one hand, we have a device that sits on the  
20 floor, sucks up dirt, perhaps spews it out and then we have  
21 surgeons and staff who all that they are trying to do is  
22 sterilize to the best that they can. You can't completely  
23 sterilize, but clean to the best that you can the  
24 environment. That's why they're called ultra-clean  
25 orthopedic surgeries. Yet, we have the device in the

1 operating room that 3M admits in their 30(b)(6) deposition  
2 it harbors internal contaminants.

3 So whether or not the device blows the bacteria,  
4 Dr. Stonnington, based on his individual experience as an  
5 orthopedic surgeon, which is in its own right an established  
6 methodology for inferring causation as numerous circuit  
7 courts have also determined, states, "cross-contamination  
8 from Bair Hugger devices is a very serious concern.  
9 Microbial contaminants collected within the units will  
10 likely be transferred via the hose to future operative  
11 fields."

12 Moreover, 3M's internal documents admit the Bair  
13 Hugger isn't air tight and that a small amount of particles  
14 or bacteria could exit the device irrespective of the  
15 blanket.

16 Last, but not least --

17 THE COURT: Do you know in how many of the cases,  
18 I know we did a breakdown of hips and knees, how many where  
19 the lower part of the Bair Hugger was on the floor as  
20 opposed to suspended because we heard some testimony that  
21 sometimes it's on the floor. Sometimes it's not on the  
22 floor, so we don't know that.

23 MR. SACCHET: I agree with you, Your Honor. 3M  
24 has stated sometimes it's on a stand. We allege sometimes  
25 it's not.

1 THE COURT: But there was testimony. I don't care  
2 who said it. Some witness said it. I don't remember who.  
3 But isn't that your recollection? I mean it could be --  
4 anyway whatever. Maybe I'm wrong.

5 MR. SACCHET: It's a case specific question, Your  
6 Honor, that in a particular case if it was determined the  
7 Bair Hugger was on the stand versus on the floor, that may  
8 or may not be relevant but for general causation purposes,  
9 the fact is true that the Bair Hugger does on occasion sit  
10 on the ground.

11 THE COURT: But no doubt, no doubt, but you don't  
12 know how many of the totality of the cases?

13 MR. SACCHET: No, I'm sorry.

14 THE COURT: Okay. That's fine.

15 MR. SACCHET: The third reason why 3M's argument  
16 does not hold the water regarding internal contamination,  
17 and I believe this is the most dispositive, and this puts  
18 their argument to bed is that all parties agree that  
19 particles are a proxy for bacteria. "Particulate  
20 contamination is the dosage of interest." Dr. Samet states  
21 it. Dr. Jarvis states it. 3M's own infectious disease  
22 expert Dr. Wenzel admitted at his deposition that  
23 approximately 40 percent of particles carry bacteria. The  
24 randomized control trial conducted by Darouiche, et al, in  
25 2017, which 3M admits is the gold standard. It actually can

1 prove something unlike epidemiologic research that 3M claims  
2 should. This RCT proves that increased particulates,  
3 increased bacteria, which in turn increases the risk of deep  
4 joint infection not surgical site infection, deep joint  
5 infection. The Stocks 2010 article said the same thing  
6 particles equate to bacteria.

7 [REDACTED]  
8 [REDACTED]  
9 [REDACTED]  
10 [REDACTED]  
11 [REDACTED]  
12 [REDACTED]  
13 [REDACTED]  
14 [REDACTED]  
15 [REDACTED]  
16 [REDACTED]  
17 [REDACTED]  
18 [REDACTED]  
19 [REDACTED]  
20 [REDACTED]  
21 [REDACTED]

22 And following that in 2013, Mr. Van Duren and  
23 Michelle Hulse-Stevens --

24 THE COURT: So the background section of a patent,  
25 which always has to be there, of course, is for the purpose

1 of explaining to the PTO what the invention is and trying to  
2 get something invented. I don't know that that -- that the  
3 specification of a patent has ever been held to be evidence  
4 of scientific reality. I mean you know the purpose of it to  
5 alert the PTO and then ultimately perhaps the public about  
6 how the invention constitutes, you know, to help define the  
7 meets and bounds of the invention. But in terms of using an  
8 assertion in the background section, the specifications of a  
9 patent as scientific proof of the assertions contained  
10 therein, I'm unaware of that use of that. So I mean that  
11 can't be why you're citing this.

12 MR. SACCHET: No, Your Honor, and I am not an  
13 expert in patent law. I did read your transcript in the  
14 *Moldex* hearing with respect to the Rule 11 sanctions motion  
15 that was brought against 3M but --

16 THE COURT: But I mean you're talking about,  
17 you're relying on an assertion made in the specification of  
18 a patent.

19 MR. SACCHET: I'm relying on this e-mail for the  
20 proposition that 3M acknowledged in 1994 that there were  
21 concerns about particulates as the causes of infection.

22 THE COURT: Okay.

23 MR. SACCHET: That's what it says. In 2013,  
24 Michelle Hulse-Stevens and Mr. Van Duren engaged in another  
25 conversation in which they both acknowledged that there is

1 "widespread appreciation of the importance of particulates  
2 discharged by the warming unit." And Michelle Hulse-Stevens  
3 follows up and says, "this implies that the 750 does not  
4 have a filtration efficiency that adequately mitigates  
5 particles in the air coming out after filtration."

6 THE COURT: Okay. What's the purpose of this  
7 because in a strict liability product in a product liability  
8 case, what would be the relevance of something like this?

9 MR. SACCHET: So the relevance is that 3M  
10 acknowledges particles are a proxy for bacteria.

11 THE COURT: But how is that relevant to the  
12 ultimate question of whether it causes? I mean as you said,  
13 there doesn't seem to be any real disagreement that the  
14 scientific studies using particulates as proxies for  
15 bacteria, that nobody is really questioning that.

16 MR. SACCHET: Okay, I just wanted to make sure we  
17 agree that nobody is questioning it.

18 THE COURT: It didn't seem like it.

19 MR. SACCHET: Okay. That was my purpose in citing  
20 this evidence to make it clear that 3M has acknowledged that  
21 particle contamination can be used as a metric for increased  
22 risk, and the 30(b)(6) testimony here also shows that. The  
23 reason why I'm bringing it up, Your Honor is because  
24 plaintiffs have conducted in their experts testing to show  
25 that particles escape the blanket. So if 3M's argument is

1 that plaintiffs' theory of internal contamination should be  
2 dismissed because there's no evidence that bacteria leaves  
3 the blanket, plaintiffs' experts have tested the Bair Hugger  
4 device to prove that particles do leave the blanket.

5 Mr. Michael Buck did that testing as part of the  
6 general causation testimony in this litigation. He tested  
7 the device with the blanket attached and with the blanket  
8 not attached with a 750 and a 775 used and old. In both  
9 situations, in both testing conditions, Mr. Buck concluded  
10 that the Bair Hugger releases a large volume of particulates  
11 ranging in size from .3 to .5 microns, all the way up to  
12 greater than 10 microns. In the graph that I have depicted  
13 here shows that, and that is why he concluded that there is  
14 particles coming out of the Bair Hugger with the blanket,  
15 these findings are consistent with what published literature  
16 and internal Arizant and 3M company documents.

17 Now, the reason why this is ultimately important,  
18 and I think this is the death blow to 3M's argument. Your  
19 Honor concluded in your December 13, 2017, order in denying  
20 3M's motion to exclude Mr. Buck. Buck's lack of bacterial  
21 testing is harmless because he found some 10 micron  
22 particles. 3M has waived the argument on Buck, has not  
23 moved for reconsideration of Buck's opinion, has not asked  
24 the Court to reconsider that conclusion. It, therefore,  
25 stands to reason that 3M's argument about internal

1       contamination fails out of the gate. And as a result, their  
2       entire motion for reconsideration should be denied because  
3       they have predicated their entire argument on the exclusion  
4       of this theory, and they have not challenged one of the  
5       findings this Court made that upholds the theory.

6               The second subject of argument that 3M has raised  
7       is again regarding the McGovern study. It's reploting old  
8       ground on the motion for reconsideration because it doesn't  
9       involve new evidence. Nevertheless, I'll take some time to  
10      explain the arguments that 3M resurrected in both its papers  
11      and that Mr. Blackwell spent a surprising amount of time in  
12      his argument today.

13             The first argument that 3M makes and the first  
14      fallacy that it offers to the Court is quote, "the  
15      Observational study is essential to the opinions of  
16      plaintiffs' medical experts. There is no general causation  
17      without it." Page 24 of their brief. This argument  
18      contradicts not only Eighth Circuit law, but it contradicts  
19      the argument that 3M previously told this Court when it  
20      acknowledged in its first Daubert brief at page 27, docket  
21      750, that *Glastetter* notes that epidemiological evidence is  
22      not absolutely necessary to support general causation expert  
23      opinions.

24             3M cites *Glastetter* all over the place in its  
25      motion for reconsideration. For some reason this time

1 around unlike last time around, it fails to note the plain  
2 language of that decision, which says it's not necessary and  
3 it doesn't doom the plaintiffs' case.

4 The other cases that 3M cites repeatedly in its  
5 papers, such as the *In Re Zolofit* decision, say the same  
6 thing. In fact, the *Zolofit* MDL court cited *Glastetter* for  
7 the proposition that the lack of epidemiologic evidence  
8 doesn't doom a plaintiffs' case, but 3M doesn't say anything  
9 about that in their papers. Nor does 3M note what the  
10 Reference Manual actually says, footnote 180, "in many  
11 instances, causation can be established without  
12 epidemiologic evidence."

13 And a really good decision if Your Honor would  
14 like more authority is the *In Re Heparin* MDL decision in  
15 which the court actually collects authority from every  
16 single Federal Court of Appeals disagreeing with 3M's  
17 conclusion that epidemiology is necessary to support  
18 causation.

19 To the extent that 3M has cited the Bradford Hill  
20 criteria, and made the argument that the Reference Manual  
21 says that you can't apply the Bradford Hill criteria without  
22 epidemiologic evidence. Plaintiffs don't dispute that.  
23 That's what the Reference Manual says. It is clear that to  
24 apply the Bradford Hill criteria you need an association.  
25 Does that doom plaintiffs' medical experts opinions? No.

1 First, contrary to what 3M wrote in its  
2 supplemental papers, Dr. Jarvis does not apply the Bradford  
3 Hill criteria. There's nothing in his report or any of his  
4 testimony saying that he ever did. Dr. Stonnington same  
5 thing. He never cites the Bradford Hill criteria. Dr.  
6 Jarvis relies on the CDC's gold standard. Dr. Stonnington  
7 relies on his clinical experience plus peer-reviewed public  
8 literature.

9 The *In Re Heparin* court collects cases showing  
10 that both weight of the evidence methodologies and clinical  
11 experience are sound methodologies for inferring causation,  
12 general causation. And as to Dr. Samet, Dr. Samet, his  
13 report does apply the Bradford Hill criteria.

14 THE COURT: Just give me one second. Okay. Go  
15 ahead.

16 MR. SACCHET: Dr. Samet does apply the Bradford  
17 Hill criteria. Is that the only methodology that he  
18 applies? No. His report on its face says he also applies  
19 the surgeon general's criteria, invest same criteria can be  
20 applied as the senior scientific editor of the surgeon  
21 general's report on tobacco and lung cancer. He also  
22 applied the sufficient component cause model, which  
23 Dr. Borak at his general causation deposition conceded was a  
24 well-established methodology for causation. So whether or  
25 not Dr. Samet applied the Bradford Hill criteria, whether or

1 not the Reference Manual states that you have to have an  
2 association to apply it. Dr. Samet didn't limit his  
3 opinions to that methodology, and he cannot be excluded on  
4 that basis.

5 Nor did Dr. Samet ever cite the Augustine 2017  
6 study in his general causation report. It would have been  
7 impossible for him to do it because Augustine said he was  
8 published after Dr. Samet signed his report. The parties  
9 stipulated for that reason. They wouldn't talk about the  
10 Augustine 2017 study. But yet again it's injected into the  
11 face of these proceedings for no good reason. Dr. Samet  
12 never cited in this report. Therefore, he didn't rely on it  
13 for concluding otherwise.

14 THE COURT: Give me another second, please. Okay.

15 MR. SACCHET: And the same holds true, Your Honor,  
16 with respect to Dr. Jarvis and Dr. Stonnington's report.  
17 All of their reports preceded the publication of Augustine's  
18 2017 study, so they could not have cited them in their  
19 reports, whether or not they mention them at a deposition  
20 doesn't change that fact.

21 In addition, 3M argues that the fact that --

22 THE COURT: I guess that's what I was looking for.  
23 I thought they mentioned that somewhere. And was it in the  
24 deposition?

25 MR. SACCHET: Yes, there are depositions whereupon

1 3M is questioning are you aware of this study? The experts  
2 acknowledged, yes, we're aware of that study.

3 THE COURT: I thought one of them said something  
4 like and that gives more proof or more weight to my opinion.  
5 Is that Samet or --

6 MR. SACCHET: I don't know.

7 THE COURT: Okay.

8 MR. SACCHET: I'll be honest. What I will say  
9 whether or not an expert said it and if they did say it, it  
10 doesn't change the fact that their general causation opinion  
11 didn't cite it, and they reached the same conclusion without  
12 it.

13 THE COURT: I see.

14 MR. SACCHET: To the extent that 3M harps on the  
15 fact that Dr. Samet testified that the McGovern study is  
16 necessary to show a magnitude of the association, we need to  
17 be careful with the language there.

18 THE COURT: Okay.

19 MR. SACCHET: The magnitude, the quantification of  
20 the association, is that the same as an association? No.  
21 It's a quantification of the risk. Now, there's a reason  
22 why that's important. McGovern gives a quantification of  
23 the risk, 380 percent increased risk from using the Bair  
24 Hugger compared to air-free devices. The reason why that's  
25 important is because if we didn't have that quantification,

1 we couldn't use Dr. Holford's concession that an increased  
2 risk of botch 2.0 proves specific causation. And he  
3 admitted that at his deposition. An increased risk of over  
4 2.0 proves specific causation. I asked him a question point  
5 blank and he says I agree.

6 So if we want to take away the magnitude all that  
7 really does for 3M is prohibit plaintiffs from proving  
8 specific causation. I was shocked by 3M's argument in their  
9 supplemental papers in a footnote, it said that the *In Re*  
10 *Lipitor* court rejected the suggestion that a risk ratio  
11 greater than 2.0 can prove specific causation. I read the  
12 opinion twice and couldn't find the language. Perhaps, they  
13 could clarify, but there's no suggestion in the *In Re*  
14 *Lipitor* case that the odds ratio greater than 2.0 cannot  
15 prove specific causation. I want to be clear though we are  
16 not arguing that the 2.0 ipso facto proves general  
17 causation. That should not be confused. The general  
18 causation inquiry is based on the totality of the evidence.

19 THE COURT: So you would not propose to argue in  
20 any individual case that the odds ratio of 2.0 proves  
21 causation?

22 MR. SACCHET: The other way around, I think, if  
23 I'm understanding the question.

24 THE COURT: But that's not an argument that you  
25 would make in any particular case?

1 MR. SACCHET: We're not hinging our general  
2 causation argument on one number, the 2.0. Our experts have  
3 stated in their case specific reports, such as Dr. Jarvis,  
4 that there are a few ways to show specific causation. One  
5 is McGovern's 2.0 and greater.

6 THE COURT: You would use that --

7 MR. SACCHET: For specific causation, the expert  
8 reports for that is one way to show specific causation.

9 THE COURT: Because surely part of general  
10 causation is a root to the specific causation. You have to  
11 -- you've got to --

12 MR. SACCHET: I've got to show there's got to be  
13 some way in which this device could do what we say it does.  
14 No doubt, but, of course, then when you get a particular  
15 case what happened in that case, what's the evidence, what  
16 can we rule in and rule out based on a differential  
17 diagnosis, the whole shebang.

18 THE COURT: Well, and that's all individual to the  
19 cases, but what you would need at the general causation case  
20 stage is here's how this could happen, and here's how we're  
21 going to try and prove it in an individual specific case.  
22 We've got a road -- here's the connection between this and,  
23 you know, maybe we can show it in the individual case, maybe  
24 we can't, but we've got to have some --

25 MR. SACCHET: Mechanisms.

1 THE COURT: Mechanism.

2 MR. SACCHET: Exactly. So that's why manufacture  
3 proffered two particular mechanisms, internal contamination  
4 and air flow disruption. There's a lot of evidence to  
5 support those mechanisms, so, yes, in an individual case, we  
6 would show that one or both of those mechanisms is what  
7 caused the particular infection in a particular case.

8 But that is the distinction about magnitude versus  
9 causation in general that plaintiffs appreciate and offer to  
10 the Court in determining whether Samet's deposition  
11 testimony actually hinges on McGovern and does not in  
12 addition to the fact that he applies other methodologies in  
13 addition to the Bradford Hill criteria. So at bottom, 3M's  
14 first argument that plaintiffs are required and their  
15 opinions hinge on the McGovern study is belied by science  
16 and the law.

17 The second fallacy of 3M's argument is that  
18 McGovern is subject to bias, confounding and other  
19 tabulation errors. 3M says on page 25 of its brief, "much  
20 of defendants original Daubert briefing was devoted to the  
21 McGovern study, and the reasons it lacked sufficient indicia  
22 of reliability." In that quotation alone, 3M has admitted  
23 that it's an old argument. And under Rule 7.1 JO, the rules  
24 of this district, it is not ripe for reconsideration.

25 Even if the Court does consider 3M's argument,

1 which is not properly before the Court on reconsideration,  
2 McGovern by itself satisfies all four Daubert factors. It  
3 is a test. It was published. It has a known rate of error  
4 based on its statistical significance, and the technique of  
5 using observational retrospective studies to help infer  
6 causation is well-established. So in and of itself, it  
7 satisfies the four Daubert factors.

8 In addition to those factors, as I mentioned,  
9 McGovern has a statistical significant increased risk.  
10 Statistical significance is not required to show causation.  
11 The Supreme Court in its *Matrix* decision said that very  
12 clearly. The *Viagra* court said that very clearly in an MDL  
13 on general causation, you don't need statistical  
14 significance. But, nonetheless, McGovern has it.

15 What McGovern also has is mechanistic evidence, so  
16 it's not just an epidemiologic study. It's an epidemiologic  
17 study and a mechanistic study. They did assimilated hip  
18 procedure. They showed, contrary to 3M's claims in their  
19 papers, in which 3M says there was no showing of increased  
20 bubbles under the surgical site when forced-air warming was  
21 used. False. The author showed a statistical significant  
22 increased amount of particles over the surgical site when  
23 Bair Hugger was used compared to conductive fabric warming.  
24 So it has both an epi portion and a mechanistic portion.  
25 That is why given those two parts together, the independent

1 peer reviewers of the study, independent scientists in their  
2 own right, they're well credentialed. They're elected to  
3 serve on boards like Dr. Borak revealed the fact that he  
4 serves on these kinds of boards of peer reviewed studies.  
5 They said, "the study demonstrates that there were actual  
6 changes in infection rates which would fit well with the  
7 experimental data and thus support the contention that there  
8 is a serious issue to be addressed with Bair Hugger."

9 That's what the independent peer reviewers of the McGovern  
10 study said because it's both an association and mechanistic  
11 together, those two things together strong evidence of an  
12 issue with Bair Hugger.

13 In addition --

14 THE COURT: I'm just going to, just time wise,  
15 you're not leaving Ms. Zimmerman much time.

16 MR. SACCHET: I wasn't aware of a time limit.

17 THE COURT: There's not really a time limit. How  
18 much more do you -- you're on the second fallacy. Is that  
19 the third fallacy?

20 MR. SACCHET: There are three fallacies other than  
21 the International Consensus statement in which I couldn't  
22 help myself put before. So, yes, there's a way to go, and,  
23 Your Honor, to be candid but also respectful --

24 THE COURT: Well, you know, here's what we need.  
25 We have to take a brief break. So let's just do that. And

1 then we'll come back. Ten minutes. We're in recess.

2 (11:38 a.m.)

3

4 START HERE

5 (11:56 a.m.)

6 THE COURT: Please be seated. Mr. Sacchet, maybe  
7 before you launch in, and maybe you're going to get to it,  
8 you say that Jarvis and Samet and Stonnington, the  
9 confounders, you're probably just about to talk about the  
10 confounders.

11 MR. SACCHET: That is exactly right. And, Your  
12 Honor, we appreciate the time this afternoon and just want  
13 to reiterate that there are 5,000 cases at risk depending  
14 on, you know, the outcome of this motion, so the time that  
15 you're allowing us is much appreciated.

16 THE COURT: Somebody has to get sentenced at  
17 12:30, but we'll take a break if necessary. I don't have  
18 anything after that.

19 MR. SACCHET: We appreciate it.

20 THE COURT: Not to use a passive voice, I will  
21 sentence someone at 12:30.

22 MR. SACCHET: As to the McGovern study, we touched  
23 on the factors that it not only has a significant  
24 association but it also sets forth a plausible mechanism.  
25 In addition to that, the authors are all very well

1       credentialed. In fact, many of them have been hired by 3M  
2       for various consulting opportunities and even Mr. Albrecht  
3       who 3M has maligned throughout the three years of this  
4       litigation was offered a job after he published the McGovern  
5       study by 3M.

6               THE COURT: And the relevance of that is what?

7               MR. SACCHET: That plaintiffs contend there are  
8       attacks on his credentials and purported dishonesty is  
9       belied by their job offer to them.

10              The research was conducted before any litigation.  
11       All of the authors have testified that to the extent it was  
12       funded by a competitive entity, that that had no bearing  
13       whatsoever on the results of the study. I spoke a little  
14       bit about it and one of the peer reviewers had mentioned in  
15       reviewing the study, all of the authors stand by behind the  
16       results of this study. We noted that in footnote 9 of our  
17       opposition.

18              Dr. Reed in Plaintiff's Exhibit 47 says the same  
19       thing, to the extent that 3M has suggested that Reed admits  
20       there's a tabulation error, even if that were true. Dr.  
21       Reed still says that the results of the McGovern study would  
22       be clinically significant and have the same impact as they  
23       would whether or not there's a difference of one infection  
24       in either arm of the study. The study has never been  
25       retracted.

1 THE COURT: The study, I don't think there's any  
2 real complaint about the -- well, whether there is or isn't,  
3 so let's say the study is great. The conclusions, however,  
4 are not the Bair Hugger causes infection. So the studies'  
5 authors are pretty clear about that. So to what extent can  
6 the conclusion that the authors, respected as they are and  
7 even if they weren't they were the authors, reached in that  
8 study be restated to be a different conclusion?

9 I mean can you use the same study, look at their  
10 data, and come to a different conclusion on the very same  
11 question as the authors disclaimed?

12 I can see people who do scientific studies and  
13 there are byproducts, and you take a look at the byproducts  
14 and come to some conclusion about that, but this seems to be  
15 on the very same point that the authors spoke about.

16 MR. SACCHET: I think I understand your question,  
17 and the threshold response --

18 THE COURT: Well, that's good because it was not  
19 very well articulated.

20 MR. SACCHET: I think I got it. The first  
21 response is this, Your Honor. The McGovern authors never  
22 conclude that the study proves causation.

23 THE COURT: They don't. I mean they specifically  
24 say they don't.

25 MR. SACCHET: And they can't and --

1 THE COURT: Then how can anybody else use that  
2 study to say something that the author specifically  
3 disclaimed? That's my question.

4 MR. SACCHET: Okay. So no epidemiologic or  
5 observational study can prove causation. Same as in 3M's  
6 argument that the Jeans study confirms causation is squat.  
7 None of them can, and plaintiffs have never said that the  
8 McGovern study does by itself.

9 But what the Reference Manual makes clear,  
10 Dr. Samet makes clear, you can use observational studies as  
11 part of the totality of evidence to do causal inference. So  
12 even where observational studies say no causation, the  
13 Reference Manual makes clear that observational studies are  
14 the main stay, the most commonly cited study to support  
15 causal inference by experts.

16 I asked Dr. Holford this question at his  
17 deposition, you agree that an association by itself is not  
18 causation. It is a judgment for scientists to make based on  
19 the totality of the evidence, and even 3M's own expert  
20 agreed with that.

21 So plaintiffs have never argued that McGovern by  
22 itself is dispositive notwithstanding 3M's argument that the  
23 McGovern study dooms plaintiffs' case if it's not admitted.  
24 The McGovern study is one piece of evidence. It provides an  
25 association. With that association, experts consider all of

1 the other evidence, and if a mechanism can support an  
2 association whether or not there is an association there,  
3 they can still do causal inference. And that's at footnote  
4 180 of the Reference Manual says, "even in the absence of  
5 epidemiologic studies, there can still be general  
6 causation." That's why the Eighth Circuit said it in  
7 *Bonner*. That's why the Eighth Circuit said it in  
8 *Glastetter*. That's what the District Court in *Viagra* said.  
9 That's why no Court has ever concluded and even Reed an  
10 epidemiologic or statistically significant association to do  
11 causal inference.

12 THE COURT: To the extent the study is to be used  
13 at all, mustn't there be a count taken of the confounders?

14 MR. SACCHET: So two responses. One, there is no  
15 a priori basis in any of the scientific literature to  
16 suggest that the particular types of antithrombotic and  
17 antibiotic that were used in the McGovern study confound  
18 deep joint infection rates, and I can go into that more  
19 deeply in a second.

20 But, second, even assuming arguendo that there  
21 were confounders, this Court's Order citing *Johnson* made  
22 clear that an expert need not account for every possible  
23 explanation for the cause of an outcome, and indeed every  
24 single MDL court said the same thing.

25 Judge Rogers, for example, in the *Abilify* decision

1 expressly stated one year ago denying the defendant's  
2 Daubert motion on general causation, issues of confounding  
3 go to weight. The *In Re Seroquel* court cited in our papers,  
4 issues of confounding go to weight. *In Re Bone Screw*,  
5 issues of confounding go to weight. *Jennings*, issues of  
6 confounding go to weight.

7 THE COURT: Okay, so if no account need be taken  
8 of the confounders at all, then what you're saying is if  
9 there's any indication of a possibility of an association,  
10 that that is sufficient to argue to a jury that constitutes  
11 specific causation in an individual or a general causation?

12 MR. SACCHET: So in a specific causation --

13 THE COURT: You're saying confounders mean  
14 nothing.

15 MR. SACCHET: No, I'm saying an issue of weight  
16 not admissibility.

17 THE COURT: Okay.

18 MR. SACCHET: I had another thought that was in  
19 the back of my mind. And this is it, take the association  
20 off the table. Take the McGovern study off the table. It  
21 should be on the table but take it off. The Reference  
22 Manual again says that when the mechanism is well  
23 established, that can suffice for general causation, not  
24 based on the Bradford Hill criteria but based on other  
25 methods of causal inference, a well-established mechanism

1 can suffice for general causation.

2 THE COURT: Basically, Dr. Elgobashi alone would  
3 be able to --

4 MR. SACCHET: Yes, I mean there is robust  
5 evidence. It is undisputed. Every single study shows based  
6 on 3M's corporate admission that the Bair Hugger increases  
7 the number of particles over the surgical site. Based on  
8 that admission alone, we don't even need to talk about the  
9 McGovern study because the mechanism is well understood and  
10 accepted that the Bair Hugger increases particles over the  
11 surgical site, which is a proxy for the increased risk of  
12 deep joint infection based on the gold standard randomized  
13 control trial authored by Darouiche in 2017. That's it.

14 In addition, no contrary epidemiologic study  
15 exists to disprove McGovern. 3M can talk about the  
16 hypothetical, potential confounding hypothetical, whatever,  
17 there's no evidence to the contrary.

18 There is followup data. 3M never mentions this.  
19 There is followup data collected after publication of the  
20 McGovern study by Reed, showing that the association odds  
21 ratio holds based on an expanded data set of conductive  
22 warming patients doubling the amount of patients that were  
23 in the original study. If there's any doubt about whether  
24 the McGovern study holds up or not, here it is, follow up  
25 data proving it.

1 THE COURT: And that's which Reed study?

2 MR. SACCHET: It's not in the published study.  
3 It's in a document that actually Dr. Reed supplied to 3M.

4 THE COURT: Okay.

5 MR. SACCHET: So based on those plaintiffs contend  
6 that not only does McGovern satisfy all four Daubert  
7 factors, but there are various other facts that support the  
8 conclusions reached therein, which need not include  
9 causation in order to be used for causal inference. That is  
10 precisely why in 2019, Dr. Reed published a new study after  
11 the 2018 ICM, after the draft protocol and the trial study  
12 that 3M cites in their papers. The most recent statement by  
13 any author of the McGovern study in Reed 2019, Plaintiff's  
14 Exhibit 53, McGovern, et al, compared forced-air warming  
15 devices to air free conductive fabric warming device  
16 blankets and show that they were associated with  
17 substantially higher numbers of simulated particles over the  
18 operative field and substantially higher rates of  
19 post-operative PJI.

20 THE COURT: Let me try this. So the McGovern  
21 authors acknowledge confounders.

22 MR. SACCHET: No, they acknowledge potential  
23 confounders.

24 THE COURT: Variables.

25 MR. SACCHET: Potential variables.

1 THE COURT: That could be confounding.

2 MR. SACCHET: Potentially confounding.

3 THE COURT: Okay, whatever they say --

4 MR. SACCHET: What 3M says and it's a  
5 misrepresentation.

6 THE COURT: They don't acknowledge confounders?

7 MR. SACCHET: No, they do not acknowledge  
8 confounding. I think 3M --

9 THE COURT: Okay, let's say they say something  
10 about confounding or variables. I mean I don't have the  
11 study right in front of me, but I remember in their  
12 conclusions some -- but anyway here's my point. If to the  
13 extent the authors do, then to what extent would experts  
14 relying on that study have to also acknowledge? I mean so  
15 whatever I don't want to quibble about what exactly the  
16 study says about the variables or confounders or whatever it  
17 says. I mean I'm quite confident it says something about  
18 them. But then the experts who rely on that study don't say  
19 anything about that aspect of the study itself. And so is  
20 that -- are they looking at the totality of the evidence? I  
21 mean how is that looking at the totality of the evidence if,  
22 -- anyway.

23 MR. SACCHET: I understand the question, and this  
24 is the answer. The plaintiffs' experts had reviewed the  
25 deposition testimony of the authors of the McGovern study in

1 this case. Dr. Reed at his general causation deposition  
2 emphatically stated that the antithrombotic can be ruled out  
3 as a confounder based on the study that he published in 2013  
4 entitled Jameson, et al.

5 THE COURT: Okay.

6 MR. SACCHET: Professor Nachtsheim of the  
7 University of Minnesota answered this question, "is there  
8 any evidence of confounding from the antibiotic or  
9 antithrombotic? No."

10 THE COURT: But any confounders. Just in concept  
11 though.

12 MR. SACCHET: Okay.

13 THE COURT: To the extent the authors acknowledge  
14 that they can't specifically draw a conclusion, which the  
15 McGovern authors do, and I can't remember their exact words  
16 but they say we're not concluding, to what extent must  
17 experts who are taking into consideration the totality of  
18 the evidence doing their job if they disregard that aspect  
19 of the original studies authors' statements themselves.

20 MR. SACCHET: So again issues of confounding go to  
21 weight not admissibility based on a long line of cases in  
22 the master of context --

23 THE COURT: But the experts who would testify  
24 would have to acknowledge that's confounding, right?

25 Otherwise, it doesn't go to weight. I mean if you've got an

1 expert who relies on a study that had confounders and the  
2 expert gets up and says this is what the studies say, here's  
3 my opinion, and I base it on this study and doesn't say  
4 anything about the confounders.

5 MR. SACCHET: There could be a problem there. So  
6 what I will say is Dr. Samet, for example, in his general  
7 causation expert report specifically addresses 3M's argument  
8 about potential confounding from the thrombotic and the  
9 antibiotic. And he says that based on the author's  
10 deposition testimony not the vague statements they made in  
11 their paper, there's no support for it.

12 So what I think is actually the most important  
13 here is I deposed Dr. Holford on these very issues. 3M's  
14 own epidemiologist and biostatistician that it put up to try  
15 to suggest that there is confounding. I asked Mr. Holford  
16 the question, "so there is no published literature that you  
17 are aware of that suggests a relationship between the  
18 variable of a thromboprophylaxis on the outcome of deep  
19 joint infection."

20 Answer: "No, I don't know any."

21 For epidemiologists in order to analyze  
22 confounding, there has to be an a priori basis in the  
23 scientific literature. You do not just surmise based on  
24 your own whims of whether something is a confounder. If  
25 there's nothing in the scientific literature that shows an

1 independent variable is related to a particular dependent  
2 variable and that there's an association between the two,  
3 there's absolutely no reason to control or suggest  
4 confounding. And Dr. Holford admitted as much right there  
5 as to the antithrombotic.

6 THE COURT: Okay, but if the study itself says  
7 these results -- well, look at it. Doesn't it say something  
8 about these are observational and may be confounding by  
9 other infection control something or other?

10 MR. SACCHET: Okay. It says, "this study does not  
11 establish causal basis for the association." So they're not  
12 saying it proves causation. We've said that.

13 THE COURT: Right, but they were --

14 MR. SACCHET: I'm going to get there.

15 THE COURT: Okay.

16 MR. SACCHET: For example, changes were made to  
17 the antibiotic and thromboprophylaxis protocols used during  
18 the study. Although, no infection controls were made after  
19 February of 2010, so that's when they mentioned those  
20 particular variables. Do they say that those variables were  
21 confounders? No. They say that they weren't able to  
22 control for those factors because they change.

23 THE COURT: And you're talking about that specific  
24 one, but since you have it right in front of you, you're at  
25 an advantage over me, but is there not a sentence in there

1 that says this study is observational and may be confounded  
2 by other infection agents or something?

3 MR. SACCHET: I can't find it right now, but my  
4 answer is this even if it did, that I don't think that means  
5 exclusion or that it means --

6 THE COURT: No, I mean that's a statement about  
7 that study. It's not a criticism of the study. It's just a  
8 question about the use that can be made, whether a follow-on  
9 expert can cite the study for something that the study  
10 itself disclaims.

11 MR. SACCHET: I don't think that any of  
12 plaintiffs' experts cite this study for something that the  
13 authors have disclaimed. Our expert --

14 THE COURT: Is that a marked-up copy or is it a  
15 clean copy?

16 MR. SACCHET: It is unfortunately.

17 THE COURT: Okay. Never mind. The only reason I  
18 want to get this squared away is I want it out of my mind,  
19 so that I can concentrate on what you're saying.

20 MR. SACCHET: Mr. Blackwell has a copy of a clean  
21 study.

22 THE COURT: Can you make sure it's clean? Good  
23 enough?

24 MR. BLACKWELL: Clean enough, Your Honor.

25 THE COURT: The sentence is right in the middle of

1 what you read. That paragraph says, "this study does not  
2 establish a causal basis for this association. Although,  
3 the demographics were similar between the patient groups in  
4 terms of risk factors for infection. The data are  
5 observational and may be confounded by other infection  
6 control measures instituted by the hospital. For example,  
7 changes were made to the antibiotic and thrombotic."

8 It's right there. There's a sentence right in the  
9 middle of the sentences you read.

10 MR. SACCHET: I thought you were looking for a  
11 sentence that says there was confounding.

12 THE COURT: I don't remember exactly what I said,  
13 but it does say, I'm looking at it right now, "the data are  
14 observational and may be confounded by other infection  
15 control measures instituted by the hospital." So if the  
16 authors themselves say this in the study, mustn't the  
17 experts who are relying on this study acknowledge the study  
18 author's statement about confounders?

19 MR. SACCHET: Well, all of our experts acknowledge  
20 that no observational study can prove causation for that  
21 very reason. Every single observational study is subject to  
22 confounding. The question is has 3M shown any and do any of  
23 the ones they suggest actually show confounding, and the  
24 answer is no. So Dr. Samet expressly states in his expert  
25 report that the only definitive proof of causation is a

1 randomized controlled trial. No observational study can  
2 prove confounding, and no one would say otherwise.

3 THE COURT: So this is a pointless statement.

4 MR. SACCHET: It's a pointless statement.

5 THE COURT: It happened in the McGovern study.

6 MR. SACCHET: It's in every single observational  
7 study. I mean the Reference Manual says that observational  
8 studies don't prove causation.

9 THE COURT: So every single observational study is  
10 going to contain somewhere in it this statement, "the data  
11 are observational, may be confounded by other -- "

12 MR. SACCHET: If the authors are upfront, and they  
13 should, for example, the Jeans study that 3M cites is proof  
14 of causation, expressly states at the end of that study that  
15 the association could have been due to other factors. So  
16 even the study that 3M cites as new evidence to disprove the  
17 McGovern study has the same acknowledgement in its study to  
18 say that it cannot prove causation, and that's why Dr. Borak  
19 admitted at his deposition notwithstanding 3M's argument,  
20 notwithstanding the fact that they brought a motion for  
21 reconsideration of general causation based on an  
22 observational study that the Jeans study can't prove  
23 causation because its observational.

24 As to the change in antibiotic, and I think this  
25 is interesting. To the extent there may be confounding,

1       confounding doesn't just mean negative confounding or  
2       positive confounding. In fact, Dr. Holford admitted on the  
3       record when I asked him this question, "Could the change in  
4       the antibiotic actually result in reverse confounding in the  
5       direction that the use of Gent plus Teic was less effective  
6       than the use of just Gent?" "It appears to be, yes."

7               Now, that probably sounded like a lot of gobbledy  
8       gook. What that means is there were two particular  
9       antibiotic protocols used in the McGovern study. Bair  
10      Hugger patients received Gentamicin. Air-free patients  
11      received Gentamicin plus Teicoplanin. Dr. Holford, for  
12      whatever reason, I think it was a misstep perhaps in what 3M  
13      asked him to do, did a calculation controlling for the  
14      warming device, so he just looked at the Bair Hugger, and he  
15      looked at Bair Hugger rates of infection using Gent,  
16      protocol one, and Bair Hugger rates of infection using Gent  
17      Teic, protocol two. That calculation showed that infection  
18      rates were higher among Bair Hugger patients that used Gent  
19      Teic. The same protocol that all of the air-free patients  
20      used. What that means is the antibiotic that the air-free  
21      patients got may have been less effective than the  
22      antibiotic that the Bair Hugger patients used.

23               THE COURT: Which expert says that?

24               MR. SACCHET: Dr. Holford right here.

25               THE COURT: He says that?

1 MR. SACCHET: Yep, at PX50 at 317, 2-6, he admits  
2 actually reverse confounding.

3 THE COURT: That's a statement, not a question.  
4 He says, "appears to be, yes." Okay.

5 MR. SACCHET: He says, "yes." So if anything,  
6 based on 3M's own expert testimony from its own  
7 biostatistician that 3.8 odds ratio -- it actually may be  
8 higher than 3.8. It could be something more like  
9 4-something, which would be a quadrupling of the risk as  
10 opposed to a 380 percent increased risk.

11 So if we want to talk about confounding, and if we  
12 want to talk about the author's admission that there may be  
13 confounding, their own expert admitted that there's actually  
14 reverse confounding so that dooms 3M's argument.

15 As to the tabulation error, Mr. Blackwell repeated  
16 numerous times that Mr. Albrecht admitted that the data was  
17 mistabulated. I think I've read Mr. Albrecht's deposition  
18 three times. I've never found the statement. What I did  
19 find when I was looking for it in the back is a question and  
20 an answer where Mr. Albrecht says, "the data set that was  
21 analyzed there was three. Three deep joint infections for  
22 the air-free period, not four." So I don't know where the  
23 testimony is that Mr. Blackwell is referring to, but I think  
24 it behooves him to show the Court based on the  
25 representation that he made earlier this morning.

1           And in addition to the tabulation error argument,  
2           Dr. Holford admitted on the record when I cross examined him  
3           that the draft data set that he relied on was missing pages.  
4           He admitted that the draft set that he relied on expressly  
5           contradicted the raw data that was published in Figure 7 of  
6           the study. I mean the McGovern study publishes the raw data  
7           in Figure 7. There's jitter data points. There's three in  
8           the air-free period, and there's 32 in the Bair Hugger  
9           period. There's no dispute that that's the number of  
10          infections. And Dr. Holford admitted.

11           I also asked Dr. Holford, "Do you know whether  
12          this data set that you're relying on is the final data?"  
13          "No." "Does 3M know it's the final data?" I asked him that  
14          question. "No." No one has agreed that that's the final  
15          data set.

16           What the authors have agreed is that the data set  
17          they did rely on like Mr. Albrecht testified to at his  
18          deposition does contain the three infections in the air-free  
19          period and the 32 in the Bair Hugger period. To the extent  
20          Dr. Reed surmised that there may have been one additional  
21          infection in each arm of the study, even though 3M only  
22          quotes his testimony for the fact that there was just one  
23          more air-free infection instead of also one more Bair Hugger  
24          infection doesn't change the analysis. In footnote one of  
25          Dr. Holford's expert report, he did that analysis based on

1 Dr. Reed's testimony. He still found a statistically  
2 significant increased risk P value of .407. He still found  
3 that the odds ratio was 2.87. It's still above the doubling  
4 of the risk, which even according to Holford can be used to  
5 prove specific causation by itself.

6 3M also put up a slide showing a chart about start  
7 date and that if the start date had been moved back, that  
8 would show that the statistical significance disappears.  
9 The testimony in this case is undisputed that there was not  
10 complete data prior to July 1, 2008, and that is precisely  
11 why Dr. Reed, et al, used that date as the starting point of  
12 the McGovern study.

13 I asked Dr. Holford the same question at his  
14 deposition. Dr. Holford not only agreed that the start date  
15 should have been July 1, 2018, based on Dr. Reed's  
16 testimony, but Dr. Holford even said it could have been  
17 later not earlier. So this argument about an earlier start  
18 date is belied by the testimony in this case. It's  
19 manufactured by 3M.

20 To the extent that 3M has argued that you should  
21 segregate the knee data from the hip data in the McGovern  
22 study, there's no biological or a priori basis to separate  
23 knees and hips when they both involve prosthetics. There's  
24 no biological reason to assume that a hip infection on a  
25 prosthetic would be different than a knee infection on a

1 prosthetic, even if you did segregate them. I couldn't  
2 believe this when I saw it.

3 THE COURT: You know, but you're talking about  
4 your own -- none of those arguments are surprises, and so  
5 wouldn't your experts be the ones to say, look, this and  
6 this and this confounder are not real for this reason, this  
7 reason, this reason, and so I'm not just paying lip service  
8 to the fact that there's observation. And I would be  
9 curious to see if there's a case that says all observational  
10 studies have confounders. But that they're not just saying  
11 -- well, I mean you're saying, well, this is ridiculous,  
12 that's ridiculous, that's ridiculous for the confounders,  
13 but wouldn't the experts themselves have to say I looked at  
14 the confounders, I took them seriously, and they are no good  
15 for this and this and this reason.

16 MR. SACCHET: They did.

17 THE COURT: Okay.

18 MR. SACCHET: I mean Dr. Samet's report as I said  
19 addresses potential confounders that 3M has suggested,  
20 namely, the antibiotic and the antithrombotic and says that  
21 there's no evidence to suggest that they are, and 3M's own  
22 expert admitted that they're not. The evidence couldn't be  
23 more clear as both sides epidemiologists agree that there's  
24 no a priori basis to assume confounding based on those two  
25 particular variables that the McGovern authors mentioned in

1 their testimony. Although, there have been other statements  
2 by other entities like the International Consensus statement  
3 that Mr. Blackwell brought up, it doesn't say that there is  
4 confounding. It says that there is potential confounding.

5 And as to the subject of the knees versus hips,  
6 plaintiffs' experts do say there's no basis to distinguish.  
7 Dr. Jarvis, they both involve prosthetics. Deep joint  
8 infection occurs from a small inoculum of bacteria and,  
9 therefore, it would occur based on the same etiology as to  
10 any one of those sites.

11 THE COURT: What about the state of the infection  
12 rate at that hospital before they undertook the changes,  
13 that they were way above the national health care average  
14 for hips but not knees. I mean what about that?

15 MR. SACCHET: Yeah, so we brought that up in  
16 response to 3M's arguments in the prior round of Daubert  
17 briefing, and it's the same answer as what I stated with  
18 respect to the July 1, 2008 date as to why the McGovern  
19 study began then is because prior to that, there was under  
20 reporting of infection data among all of the hospitals in  
21 Northumbria. So those data that are suggested prior to the  
22 beginning of the McGovern study aren't robust and complete  
23 data. The robust and complete data exists when the McGovern  
24 study began, so that particular infection rate cannot be  
25 compared to the McGovern study.

1           And, again, I asked Dr. Holford the question in  
2           his deposition, "Aren't you comparing apples and oranges?"  
3           "Yes."

4           THE COURT:   Okay.

5           MR. SACCHET:   But back to hips and knees, even if  
6           we want to say that for some reason we're going to stratify  
7           the data, which there is no biological basis to do that, but  
8           even if we did, the difference in infection is still .4  
9           percent for air-free and 1.3 percent for forced-air warming.  
10          It's nearly a tripling of the risk.

11          And, moreover, 3M's own calculation, and this just  
12          really bothers me, Dr. Holford said that the McGovern study  
13          used the wrong statistical test.   Dr. Holford's whole expert  
14          opinion said that the chi-square test is inappropriate and  
15          that's why he applied Fisher's exact test, and I argued  
16          about this at the prior Daubert arguments in October of  
17          2017.   What did 3M do in its supplemental papers?   Apply the  
18          chi-square test, the very same test that Holford said  
19          shouldn't have been applied.

20          In any event, even if there's not statistical  
21          significance, as I mentioned earlier this morning, the  
22          United States Supreme Court in its *Matrix* decision says you  
23          don't have to have statistical significance to use a study  
24          for purposes of causation.

25          The *Viagra* court said the same thing in the MDL

1 context as it relates to general causation under a number of  
2 circumstances, you don't need statistical significance but  
3 that's beside the fact because there is statistical  
4 significance here.

5 Finally, as to the McGovern study, I'm not going  
6 to mention the double control argument. They brought this  
7 up in their papers again. It's old news. They say control  
8 for the antithrombotic and control for the antibiotic, that  
9 eliminates the statistical difference. There's 200 patients  
10 in the Bair Hugger arm, and there's 200-some in the HotDog  
11 arm, you can't do appropriately powered statistical  
12 calculation based on 400 patients. 3M's own internal  
13 documents admitted from Mr. Van Buren that you need at least  
14 a thousand patients, so that calculation is meaningless.  
15 And when I asked Dr. Holford about it at his deposition, he  
16 agreed it has unnecessary variants, and it's not reliable.

17 THE COURT: Why is such a small example good for  
18 one purpose but not for another?

19 MR. SACCHET: For what purpose is it good?

20 THE COURT: Well, your experts rely on the  
21 McGovern study with its small sample.

22 MR. SACCHET: A small sample is not 1400 patients.

23 THE COURT: What do your experts say about the  
24 size of the sample in McGovern?

25 MR. SACCHET: They acknowledge that it's 1,437

1 patients with --

2 THE COURT: And then do they say that's a great  
3 plenty?

4 MR. SACCHET: Dr. Samet says it's enough to rely  
5 on. I mean there's a 1,066 patients in the Bair Hugger arm  
6 and there's 371 patients in the air-free arm, and then when  
7 the subsequent data was collected, it expanded to 371 to  
8 650-some, so we're looking at nearly 2,000 patients to  
9 conduct a study.

10 THE COURT: I mean I don't know whether that's  
11 enough. I would rely on some expert to say that. That  
12 that's, you know, if that's a lot or it's not a lot or, you  
13 know, it's enough to form a tentative conclusion or a final  
14 conclusion or something.

15 MR. SACCHET: At his deposition, I asked Dr.  
16 Holford, "Can you apply chi-square based on a population of  
17 greater than a thousand?" And he said, "yes." In fact,  
18 based on the numerous statistical tests that I reviewed in  
19 advance of that deposition, the quote unquote rule of thumb  
20 for applying chi-square is a population greater than a  
21 thousand.

22 THE COURT: A thousand, yeah. Okay, you're going  
23 to make your final point.

24 MR. SACCHET: Yeah, final point on McGovern. So  
25 3M has said both in this case and in the Gareis appeal that

1 the Reference Manual makes clear, "observational studies  
2 provide good evidence of causation only when, one, the same  
3 association as seen in other studies with different designs  
4 and subjects; and, two, the association holds when  
5 confounders are taken into account by appropriate methods,"  
6 at page 27 of their brief. It's also cited in their appeal  
7 in Gareis.

8 I went to the page that they cited because I  
9 wasn't aware of it without reviewing it, and the text of the  
10 Reference Manual entirely belies 3M's argument. 3M just  
11 lobbed off the third factor, which is there is a plausible  
12 explanation for the effect of the independent variable.  
13 Just didn't put it in their papers, didn't put in their  
14 appeal, just acted as if the first two bullet points are the  
15 only things that you can have to have good evidence of an  
16 association and didn't consider the third.

17 Now, 3M might get up here and say the introductory  
18 text says generally observational studies provide good  
19 evidence in the following circumstances. Again, that proves  
20 that their argument is wrong because it uses the plural of  
21 the word "circumstance" so it's any one of those three, not  
22 them conjoined. There's no "and" between the three factors  
23 linking them. They're interpreted independently as other  
24 sections of the Reference Manual made clear. This is a  
25 blatant misrepresentation. The third factor was just

1 cleaved off and not represented to this Court, and that is  
2 the very factor, the very factor by which their whole  
3 argument fails.

4 There is a plausible explanation for the effect of  
5 the independent variable. All experts agree. All studies  
6 show the Bair Hugger increases particles over the surgical  
7 field and that is what the peer reviewer says in this  
8 comment, but I won't read it again because I've already read  
9 it.

10 I don't know if you're approaching the sentencing  
11 hour.

12 THE COURT: It's scheduled for 12:30 and the  
13 marshals will be bringing somebody in here. So maybe we  
14 should take a break, but I just I want to know how much  
15 longer you are going to talk because you did want to split  
16 with Ms. Zimmerman.

17 MR. SACCHET: Yes. I could try to wrap everything  
18 up in 30 minutes.

19 THE COURT: Okay. Well, I can't make somebody  
20 stand in the back there with their shackles on.

21 MR. SACCHET: Yes, I get it. And, again, we  
22 appreciate --

23 THE COURT: We're just going to have to break for  
24 an hour then. I'm sorry about that, but we will have to be  
25 in recess, and we'll resume at 1:30.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

(Recess at 12:32 p.m.)

IN OPEN COURT

(1:49 p.m.)

THE COURT: Welcome back. Please be seated.  
Mr. Sacchet.

MR. SACCHET: Once again, Your Honor, we appreciate the time this afternoon.

Onto the next topic of 3M's motion, the Jeans study, I think it's the primary reason that the parties are here today.

THE COURT: Could I just, if you're going to move on to something else, can we go back to the confounding business?

MR. SACCHET: Of course.

THE COURT: I think I found what you were talking about in the Samet report on page 11, he talks about the last paragraph, "in an extended data set, Professor Nachtsheim compares infection rates under the two antibiotic regimes," is that what you were talking about?

MR. SACCHET: So that's specific to the 3.6, the extended data set, but there is a discussion about the potential confounders.

THE COURT: Well, that's its own separate paragraph. And he refers back, we've got Jensen and

1 Jameson, right?

2 MR. SACCHET: Yep.

3 THE COURT: Are they exhibits to the deposition or  
4 they're filed by -- do you have the docket numbers for those  
5 by any chance?

6 MR. SACCHET: So defendants as part of their  
7 motion for reconsideration attached the Jensen study. I  
8 think it might be DX2, but I'm probably wrong. They did not  
9 attach the Jameson study, but both of those studies were  
10 exhibits to plaintiffs' opposition to 3M's additional  
11 Daubert motion.

12 THE COURT: Okay, so that's in there with a  
13 number?

14 MR. SACCHET: Yes, I think it's cited in our  
15 papers as well.

16 THE COURT: Yeah, okay. All right. And the other  
17 two, Jarvis and Stonnington don't do this confounder, a  
18 similar confounder analysis to what Dr. Samet does, do they?

19 MR. SACCHET: They do not. I think the primary  
20 difference there is Dr. Samet approached his report  
21 primarily as an epidemiologist, and Drs. Jarvis and  
22 Stonnington have a different expertise. Dr. Jarvis being  
23 infectious disease, and Dr. Stonnington given his clinical  
24 experience.

25 THE COURT: All right, thank you.

1 MR. SACCHET: Yes. So as to the Jeans study,  
2 indeed this is new evidence. Plaintiffs don't dispute that.  
3 I'm not going to go into the background of the study to save  
4 the Court's time, but the first argument that 3M hangs its  
5 hat on is this idea that the Jeans study confirms or proves  
6 that the McGovern study cannot support causal inference.  
7 Specifically, 3M says, "the Jeans study confirms that the  
8 observational study was confounded by introducing MSSA  
9 screening on page 25 of their memo, and in their reply, they  
10 similarly assert that "the study now proves that defendants  
11 were correct," end quote.

12 Now, I've already mentioned to the Court before  
13 that an epidemiologic study cannot prove causation as a  
14 matter of black letter science that's been discussed, but  
15 more relevant here is the deposition testimony of their own  
16 expert that was the first person to interject Jeans into the  
17 equation. And it is plaintiffs' contention that based on  
18 this deposition testimony alone, both as I'll explain in  
19 this slide and subsequent slides, that 3M has no basis  
20 whatsoever to be making the argument that they currently  
21 make in their reconsideration papers.

22 The first is that at his deposition which  
23 plaintiffs ask for and received the opportunity to conduct  
24 as to the Jeans study a couple of months ago, plaintiffs'  
25 counsel asked Dr. Borak, "So you agree with me that the

1 Jeans study does not conclude causation with respect to MSSA  
2 screening and its relationship with MSSA infections?"

3 Answer: "Yes, it does not prove causation."

4 Question: "And that's because it's a  
5 retrospective study, correct?"

6 "No, it's because it's an observational study."

7 Now, I want to be candid with the Court. I added  
8 the bolded lines of 1, 2, 3 and 4 during the break because I  
9 thought it would go to the Court's questions about our  
10 observational studies' proof of causation, and here we have  
11 3M's experts admitting, even though they proffered the Jeans  
12 study as observational proof, their own expert deracinating  
13 that theory.

14 We also asked Dr. Borak, question, "So you agree  
15 with me that the fact that the Bair Hugger was not used  
16 during a time period could be a confounder in the Jeans  
17 study?"

18 Answer: "It was potentially a confounder."

19 So even 3M's expert acknowledges that in the Jeans  
20 study the results could have been confounded from the Bair  
21 Hugger, the only object that increases particles over the  
22 surgical site. And, indeed, even Jeans, Plaintiff's  
23 Exhibit 52, says, "a key elimination of our study were that  
24 the groups were not randomized and improvements in infection  
25 rates could have been (sic) due to other factors."

1           So those are three snippets of relevant testimony.  
2           And also back to the Court's question, this is an additional  
3           excerpt that I added to the PowerPoint over the break. It's  
4           from the Gareis trial. And at the Gareis trial, when  
5           Dr. Borak was --

6           THE COURT: Where is that?

7           MR. SACCHET: The bottom right hand at 1429.

8           THE COURT: Oh, I see it.

9           MR. SACCHET: So that's a colloquy between  
10          Ms. Conlin and Dr. Borak in which she sought his testimony  
11          as to whether he knew that with respect to tobacco and lung  
12          cancer and other forms of cancer, only epidemiologic  
13          evidence was available to use for causal inference, not to  
14          prove confounding but to use for causal inference. And  
15          Dr. Borak acknowledged that that was the case and then went  
16          on to explain that epidemiological studies cannot show  
17          causation. They can only show association.

18          The second issue underlying 3M's argument is that  
19          they claim that it involved the same patients and time  
20          periods in the McGovern study. It's just false. The Jeans  
21          study went from 2007 to 2014, and it involved three  
22          hospitals, only one of which was Wamsbach. There were two  
23          additional hospitals from the Northumbria Trust that were  
24          not analyzed in the McGovern study. As a result, Dr. Jarvis  
25          in his supplemental affidavit at paragraph 3B expressly

1 concludes that the Jeans study data set is not identical to  
2 the McGovern study data set, et cetera.

3 I did put in a calculation here to actually show  
4 what happens when you remove the McGovern data set from the  
5 Jeans data set, ala Professor Holford. I took some of his  
6 moves and plaintiffs offer this calculation to show that in  
7 fact when you take Bair Hugger out of the equation, the  
8 infection rate is exactly the same between the pre-screening  
9 arm and the post-screening arm of the Jeans study.

10 The third fallacy of 3M's argument is it says that  
11 Jeans confirms 3M's contention that the introduction of MSSA  
12 screening was a confounding factor and helps explain the  
13 reduction in PJIs reported in the non-Bair Hugger period of  
14 McGovern. This argument goes to the question the Court  
15 previously posed to 3M's counsel about didn't Jeans analyze  
16 a different outcome than McGovern? And the Court's  
17 suspicion is correct. Indeed, although as Mr. Blackwell  
18 pointed out, the first line of the Jeans study defines the  
19 acronym PJI. The study later defines what PJI means to the  
20 authors. And in this instance, PJI means not only deep  
21 joint but also surgical site infections or superficial wound  
22 infections more generally. The authors themselves in some  
23 of the final paragraphs of the study expressly use the  
24 acronym SSI instead of PJI.

25 Moreover, the McGovern study did not analyze SSI.

1 That's undisputed. Dr. Holford, Dr. Borak, everyone agrees  
2 the McGovern study was limited to just deep joint infection.  
3 Now, why is this important? This is not a semantic word  
4 game unlike the conflation of laminar flow with protected  
5 effect. This actually has meaning, and the reason why it  
6 has meaning as we explained, as plaintiffs explained in the  
7 prior Daubert arguments, is that the etiology is different  
8 between the deep joint infection and the surgical site  
9 infection and that distinction matters, and the result of  
10 that distinction, plaintiffs ask Mr. Borak at his general  
11 causation deposition, "Can studies involving SSI be used or  
12 are they informative as to outcomes involving DJI?"

13 And Dr. Borak said, "no." And he, in fact, said,  
14 "it's also not relevant if the packaging is MSSA." So here  
15 we have a colloquy. "And you don't think that it would be  
16 fair to extrapolate from one type of infection in one part  
17 of the body to a deep joint infection even if it's MSSA?"  
18 "Yes."

19 But this is the dispositive excerpt that ends the  
20 discussion, disproves 3M's argument at the threshold. A  
21 number of months ago, plaintiffs' experts, as we mentioned,  
22 deposed Dr. Borak specifically about the Jeans study.  
23 Plaintiffs' counsel posed the following question to Dr.  
24 Borak. "So according to the Jeans study, you can't  
25 determine if there was a change in the deep joint infection

1 rates between the pre-screening group and the post-screening  
2 group, correct?"

3 Answer: "I don't have data on deep joint  
4 infection rates in either of those time periods."

5 Question: "So you can't determine if there was a  
6 reduction in the infection rates, in the deep joint  
7 infection rates as a result of the MSSA screening protocol,  
8 correct?"

9 Answer: "Yes, nor did I ever suggest that there  
10 was."

11 So it doesn't just not confirm or prove, it  
12 doesn't even suggest, according to 3M's own expert, that  
13 there was confounding in the McGovern study. Plaintiffs  
14 contend that as a result of this admission alone, 3M should  
15 have withdrawn its motion as it relates to the Jeans study  
16 because their own expert has annihilated the argument.

17 I will also mention because the Court brought it  
18 up earlier about the source of MSSA infections. The Sousa  
19 randomized control trial in 2016 shows that DJIs arise not  
20 from endogenous sources but from exogenous sources. So with  
21 respect to MSSA screening in particular, there's no basis to  
22 assume that MSSA screening would have a biological impact on  
23 DJI rates because they arrive from airborne bacteria not  
24 exogenous bacteria from the patient.

25 THE COURT: So endogenous, exogenous -- endogenous

1 --

2 MR. SACCHET: The person.

3 THE COURT: Endogenous does not cause DJI, right?

4 MR. SACCHET: It's far less likely than exogenous.

5 THE COURT: I thought you just said it didn't  
6 cause it.

7 MR. SACCHET: This randomized control trial says  
8 there's no impact on MSSA screening because it's exogenous  
9 on deep joint infection rates. So whether I personally  
10 believe it doesn't cause it, I mean the study says that.

11 THE COURT: Are there any other changes in your  
12 slides other than that one --

13 MR. SACCHET: No.

14 THE COURT: Other than that they're the same,  
15 okay.

16 MR. SACCHET: And I will also mention that most  
17 studies unlike Jeans actually do distinguish between DJIs  
18 and SSIs, so Your Honor brought up the Jensen study and the  
19 Jameson study, those two studies analyze the potential  
20 impact of the antithrombotic on both superficial and deep  
21 joint infections. And while the studies concluded that  
22 different antithrombotics may have a differential or  
23 significant impact on superficial import infections, they do  
24 not have a significant association to deep joint infections.  
25 So curiously the Jeans study doesn't differentiate between

1 the two even when there's a randomized control trial.

2 Sousa, the one that I mentioned, showing that there's no  
3 significant impact on deep joint impacts from MSSA  
4 screening.

5 The both of plaintiffs' experts have submitted  
6 supplemental affidavits confirmed Dr. Borak's admission, so  
7 experts on both sides now agree that because Jeans didn't  
8 stratify data between deep joint infection and surgical site  
9 infections, that it's unclear and does not suggest or  
10 confirm that the McGovern study was confounded by MSSA  
11 screening.

12 There are other issues with the study that I'll  
13 save that are in our papers related to data modification,  
14 the Hawthorn effect, which Dr. Borak mentioned applied to  
15 McGovern, but it's impossible that it could because McGovern  
16 was retrospective, whereas the Jeans is prospective, so  
17 there actually could have been cultural differences that  
18 caused the effect, whereas it would be impossible for that  
19 to occur in the McGovern study.

20 The authors kind of unusually didn't track in  
21 Jeans whether the MSSA screening and the decolonization that  
22 was performed actually resulted in the eradication of the  
23 MSSA that would or may not be on a patient's nose or groin,  
24 so there's no indication as to whether the MSSA screening  
25 had any effect without knowing whether it actually

1 eliminated the bacteria.

2 Dr. Samet also explains, I believe Mr. Blackwell  
3 averred that Dr. Samet's testimony about the magnitude of  
4 the risk of the 3.8 is ipse dixit. It's not. The odds  
5 ratio in Jeans is 1.37. The odds ratio in McGovern is 3.8.  
6 If you subtract those two numbers, you still get 2.4, which  
7 is greater than a doubling of the risk, which ala Professor  
8 Holford's testimony is enough to prove specific causation by  
9 itself.

10 And, moreover, we have the Reed 2019 study, which  
11 again confirms Dr. Reed's consensus that McGovern study  
12 shows a statistically significant association as to  
13 infection rates.

14 And, finally, you know, 3M quoted some of the  
15 testimony that was made at the prior Daubert hearing to say  
16 plaintiffs inaccurately stated that there's no study showing  
17 that MSSA screening impacts DJI, that's still the case  
18 today. The Jeans study doesn't change that equation based  
19 on the own admissions of 3M's experts. And even if it did,  
20 it would be an issue of weight not admissibility.

21 As to the next subject, the testimony, I'm trying  
22 to move quickly to the International Consensus statement,  
23 the first fallacy of 3M's argument is that they contend that  
24 the evidence is properly before the Court on  
25 reconsideration. It's not. 3M says in their reply that the

1 axiom was published online on November 12, 2018, three  
2 months after defendants filed their letter requesting leave  
3 to seek reconsideration. Not only does the forward to the  
4 ICM show that the consensus was done on July 27, 2018, but  
5 it also expressly says that the report was disseminated in  
6 August of 2018.

7 More alarmingly, however, is Mr. Gordon of  
8 Blackwell Burke's e-mail to Dr. Mont, their litigation  
9 expert in this multi-district litigation asking him to  
10 change the consensus statement on October 3, 2018. So I  
11 think 3M said that there was no evidence of their hand in  
12 this material. This document says otherwise and may not  
13 show that in fact the ICM was changed but it definitely  
14 shows that 3M's attorneys have contacted those who are in  
15 charge of the delegation and asked for it to be so.

16 3M further argues that the Court's Order didn't  
17 preclude it from citing this evidence on reconsideration.  
18 They have the burden backwards. It's not plaintiffs' burden  
19 to show what should be sought for leave for reconsideration.  
20 It's 3M's burden to say it in their motion requesting leave,  
21 and it didn't do it, so the ICM isn't properly before the  
22 Court. Even if it were, this subject came up before the  
23 2013 ICM is actually stronger for 3M than the 2018 ICM,  
24 notwithstanding the arguments that were made this morning.

25 The 2013 ICM, unlike the 2018 ICM, said there is no

1 evidence for causal inference. The 2018 ICM said there's no  
2 evidence to definitively link Bair Hugger to increased  
3 infection. Those are very different things, and I'll  
4 explain why in a moment.

5 And this goes to the second fallacy. 3M claims  
6 that the ICM, the overwhelming consensus of experts in the  
7 field who have reviewed the science is that no causation  
8 inference can be made based on the scientific evidence. So  
9 they say that the ICM just put the question to bed precludes  
10 plaintiffs' experts from performing causal inference based  
11 on the Bradford Hill criteria, the surgeon general's  
12 criteria, the CDC's gold standard, scientists' clinical  
13 experience, it doesn't matter. It just ended the debate.

14 What the 2000 ICM actually says is, as I  
15 mentioned, there is no evidence to definitively link  
16 forced-air warming to increased risk of SSIs and PJIs. And  
17 why is that important? It's important because as 3M  
18 acknowledged in their original Daubert papers but fails to  
19 acknowledge now, the only evidence that can definitively  
20 prove anything is a randomized control trial, and it's black  
21 letter law and science that randomized control trials are  
22 not necessary for causal inference. In fact, epidemiologic  
23 studies aren't even necessary for causal inference as the  
24 Reference Manual in footnote 180 states.

25 It also contradicts the testimony of Dr. Holford.

1 As I mentioned, I asked him the question, "Do you agree with  
2 the statement from the Reference Manual on statistics that  
3 quote, in the end deciding whether associations are causal  
4 typically is not a matter of statistics alone, but also  
5 rests on scientific judgment?"

6 Answer: "Yes. The Reference Manual itself, the  
7 bulk of statistical studies seen in court are observational  
8 not experimental or randomized control trials."

9 And, finally, Eighth Circuit law puts the question  
10 to bed, decisions such as *Bonner*, *Glastetter*, they all  
11 acknowledge that a scientific absolute, in other words,  
12 definitive evidence as 3M now touts from the ICM is not the  
13 standard.

14 And, moreover, to the extent that the ICM even did  
15 conclude, which it didn't, that causal inference would be  
16 impossible, that would merely be a debate between the  
17 conclusions of those individuals and the conclusions of  
18 plaintiffs' experts, which is not a proper attack to dispose  
19 of the Daubert challenge, although, it is a particular  
20 factor.

21 As to the suggestion that the ICM considered the  
22 same evidence as 3M's experts, I also think I heard that  
23 this morning from Mr. Blackwell as well as the FDA. They  
24 just didn't. The ICM cited 25 sources in concluding that  
25 there's no definitive link. Plaintiffs' experts on the

1 other hand have considered hundreds. Even the forward to  
2 the ICM acknowledges that quote "key studies could have been  
3 overlooked." Some of those studies matter.

4 For example, ICM didn't cite Baker. That was the  
5 study concluding that there's no evidence for use of  
6 forced-air warming and ultra-clean orthopedic surgeries.

7 They didn't cite Bernards. That's the study we  
8 talked about this morning in which the authors as had been  
9 interpreted by other scientists concluded that there is a  
10 link between internal contamination of the device and  
11 nosocomial infections.

12 Dr. Beavers didn't cite that concluding that the  
13 Bair Hugger's reservoir of infection.

14 It did cite Darouiche and Stocks and other  
15 questions within the ICM, but, unfortunately, the ICM  
16 delegate members didn't bridge the gap between increased  
17 particles and bacteria in infection rates with what the Bair  
18 Hugger does and is undisputed as we know from this  
19 litigation increased particles over the surgical site.

20 It didn't cite the Gjolai paper, which says that  
21 the Bair Hugger made previous contaminated air.

22 It didn't cite the Reed 2013 study, which holds  
23 that 3M reduced the filtration efficiency of the Bair Hugger  
24 from 90 percent to 60 percent and thereby poses an emissions  
25 risk.



1           The final fallacy of 3M's reliance on the ICM,  
2           they say that plaintiffs' opinions are on a two percent. In  
3           other words, they're an anomaly. No one else has agreed  
4           with them. Again, this fact gets back to the same point.  
5           The ICM didn't say you can't do causal inference. It said  
6           there's no definitive evidence, which only means that  
7           there's not a randomized control trial. So plaintiffs'  
8           experts are now on the side of the two percent of people and  
9           even if they were, there would be a dispute of conclusion,  
10          but because general acceptance is one of four factors that  
11          the Court may consider under Daubert, it can be considered  
12          as to whether their are opinions are generally accepted.

13           The first thing to note, the International  
14          Consensus statement makes clear in its forward that the  
15          document should not be interpreted as definitive or in fact  
16          represent the standard of care.

17           Moreover, other scientists agree with plaintiffs'  
18          medical experts as to the causation inquiry. For example,  
19          as I mentioned, the Tsai authors from Anderson Hospital in  
20          Texas documented complications from forced-air warming use  
21          include an increase incidence of surgical site infections.

22           Moretti, et al, as I just mentioned, recognizing  
23          other studies have found a higher incidence of nosocomial  
24          infections in patients kept warm using Bair Hugger.

25           The French Society of Microbiologists have issued

1 a recommendation to quote, "not use forced-air warming  
2 anymore because of its high risk for the patient to develop  
3 a surgical site infection," end quote. Plaintiff's Exhibit  
4 64.

5 [REDACTED]  
6 [REDACTED]  
7 [REDACTED]  
8 [REDACTED]  
9 [REDACTED]  
10 [REDACTED]  
11 [REDACTED]

12 Other orthopedic surgeons from an internal  
13 correspondence that 3M produced in this litigation,  
14 Plaintiff's Exhibit 71, quote, "several of our orthopedic  
15 physicians are refusing the use of forced-air warming  
16 devices for joint replacement procedure at our facility."  
17 Their position is that they contribute to post-op wound  
18 infections because of the circulating air, air turbulence,  
19 et cetera. The literature they have in what I have found  
20 seems to support their position.

21 So there are numerous independent scientists and  
22 researchers both in this country and outside of it that just  
23 like plaintiffs' experts have concluded that the Bair Hugger  
24 can cause deep joint infection.

25 Finally, 3M alternatively argues that should the

1 Court deny its motion, which plaintiffs obviously ask that  
2 the Court do, that it should certify its Order for  
3 interlocutory appeal under 1292(b). 3M didn't make the  
4 argument this morning. I think they've fallen on their  
5 sword. I'm not going to go through all the reasons why it's  
6 improper, but the fundamental reason, the first lesson I  
7 learned clerking for the Honorable Diana E. Murphy, the  
8 prior panel rule. The prior panel rule. It's a cardinal  
9 rule in this circuit. You can't cite a subsequent panel  
10 decision that conflicts with a prior panel decision.

11 *U.S. v. Mader*, 2011 en banc decision made that  
12 clear. 3M never replies to it in their papers, never says  
13 anything about it today. To the extent that it could even  
14 be imagined that *Glastetter* conflicts with the great wave of  
15 authority in the Eighth Circuit, even if it did, which it  
16 doesn't, the prior panel rule dooms 3M's argument.

17 And so too does the only case that 3M cites in  
18 their papers, the *Blue Cross Blue Shield* litigation, in  
19 which the Court there reached the same conclusion that the  
20 prior panel rule doomed their request for 1292(b)  
21 certification.

22 And, finally, even if *Glastetter* did control, it  
23 was about specific causation not general causation.

24 Specific causation is not before the Court on 3M's motion.

25 Second, no mechanistic evidence in *Glastetter*.

1 Plaintiff entirely failed to show the core premise of their  
2 theory, which was that bromocriptine, the underlying  
3 chemical in the drug Parlodel caused vaso constriction of  
4 blood cells, which in turn caused intracerebral  
5 hemorrhaging, which in turn caused stroke. No evidence  
6 whatsoever in the scientific literature. Unlike here where  
7 it's undisputed that the Bair Hugger increases the number of  
8 particles over the surgical field.

9 THE COURT: Could you just slow down? The court  
10 reporter can't possibly take down what you're saying.

11 MR. SACCHET: I apologize.

12 Third, in *Glastetter*, there was no epidemiologic  
13 evidence that either the plaintiff or her expert cited. The  
14 Court did make clear, which 3M has never stated in this part  
15 of the proceedings that the lack of epidemiologic evidence  
16 does not doom a plaintiff's case.

17 And fourth and finally, the plaintiff there  
18 alleged but failed to show that the company was aware of the

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 I'll hand it over to Ms. Zimmerman now. Thank you  
24 very much.

25 THE COURT: Ms. Zimmerman.

1 MS. ZIMMERMAN: Thank you, Your Honor. I join my  
2 colleague Mr. Sacchet in appreciating the time that the  
3 Court has devoted to this. It's obviously been a long day  
4 and a long litigation.

5 And if the Court would permit me an observation, I  
6 think that sometimes we get to be talking a little fast.  
7 It's pretty apparent, I think, to the Court and anyone in  
8 the room, we feel pretty passionate about this. We feel  
9 privileged to be in front of Your Honor in this honorable  
10 court. We feel passionately about our opportunity to  
11 represent 5,000 people in this case. And, respectfully, we  
12 think that these issues have already been decided correctly  
13 by Your Honor, particularly with respect to the  
14 admissibility of the experts that have been offered.

15 Your Honor considered hundreds if not thousands of  
16 pages back in 2017, and we had a three-day long hearing on  
17 all of these issues. As Mr. Sacchet just made an  
18 observation, Dr. Holford has not supported exactly the  
19 argument that 3M is intending to make here, which is that  
20 Jeans undermines McGovern.

21 So what I'm here to talk about today, and I will  
22 try to make it reasonably quick because I think Your Honor  
23 is on the same page with us given the questions posed to  
24 Mr. Blackwell this morning. Mr. Blackwell and counsel for  
25 3M misunderstand and, frankly, misquote Dr. Elgobashi's

1 testimony at the Gareis trial. I think that Your Honor's  
2 question was exactly right on point.

3 You saw Dr. Elgobashi as he testified to the Court  
4 and to the jury in Gareis about laminar flow and the  
5 questions were posed to him about whether or not that was  
6 really a force field or not. Now, yes, I used that in my  
7 opening statement and perhaps counsel takes issue with my  
8 description, but it's not just my description. The  
9 description has been made by a lot of different folks  
10 including, and I point to defendant's expert Keen, his  
11 expert report on general causation matters, and it's MDL  
12 docket 740-1.

13 So Dr. Keen says right at the top, and this is the  
14 summary of his conclusions, he talks about it being a  
15 protective field. So he doesn't call it a force field, but  
16 he does call it a protective field. He uses a couple of  
17 other words, and that, Your Honor, is right up here where he  
18 says it's a protective field. That's what the laminar air  
19 flow is intended to be and that is intended to prevent  
20 infiltration and possible contamination of the sterile  
21 field.

22 THE COURT: However.

23 MS. ZIMMERMAN: Yes, however, it should certainly  
24 be recognized that there are many sources of heat generation  
25 and physical obstruction within the laminar air flow that

1 can disrupt it and can cause some turbulence.

2 He alternatively uses words like "cocoon." At  
3 page 10, he talks about the critical zone that they need to  
4 protect to continuously sweep contaminants down away from  
5 the patient and the surgical staff.

6 On page 17, defense expert Keen talks about a  
7 protective cocoon around the site to help prevent  
8 contaminants from outside the field. And then as I just  
9 showed at the end of his report, he also talks on page 23  
10 about the protective field. So certainly there is a variety  
11 of ways that people and experts in the field talk about what  
12 the laminar air flow is intended to do.

13 And I think that what Your Honor properly observed  
14 was really what Dr. Elgobashi was talking about and what his  
15 objection was was to the use of the word "laminar."  
16 "Laminar" to an engineer like Dr. Elgobashi is something  
17 either is laminar or it is turbulent. It is a bright line  
18 rule, and it is based entirely on the Reynolds number.  
19 There is no dispute, Dr. Abraham for 3M also agrees that  
20 this is in fact a turbulent operating room.

21 So as Dr. Elgobashi testified, his beef, so to  
22 speak, was really with the use of the word "laminar", and he  
23 makes that clear in his expert report. And I won't belabor  
24 that point because I think that the Court understands that  
25 issue.

1           The Court has properly concluded in the order from  
2           December of 2017 that Elghobashi's testimony is in fact  
3           admissible. There's never been a challenge to  
4           Dr. Elgobashi's qualifications nor could there be really.  
5           There's never been a challenge to his methodology. The real  
6           challenge is whether or not he has modelled any particular  
7           OR, and the only OR that has been considered by this Court  
8           at this time is the OR that was used in Gareis, but, again,  
9           that's not specifically before Your Honor today because  
10          that's an issue of specific causation not general causation.

11           So to the extent that Dr. Elgobashi is used with  
12          his LES CFD to show what the Bair Hugger is capable of  
13          doing, what the effect of it is in an operating room, what  
14          it is generally capable of, that's really what we're here  
15          about today, and that's what the motion was that 3M brought.

16           I'm not going to go all the way back through the  
17          CFD because Your Honor has heard that and properly  
18          considered that it is admissible. Mr. Sacchet talked about  
19          the number of different mechanistic studies in addition to  
20          Dr. Elgobashi that support the fact that the Bair Hugger  
21          does have this impact on the operating room. And, of  
22          course, Dr. Elgobashi's paper was in fact published in the  
23          peer review in the international renowned Journal of  
24          Numerical Methods on Biomedical Engineering in 2018.

25           One of the questions that Your Honor asked in the

1 assignment that we submitted the most recent round of  
2 briefing on really focused on how is it that plaintiffs'  
3 experts can potentially eliminate other sources. And Your  
4 Honor properly observed that in the Eighth Circuit and in  
5 this Court a differential diagnosis is certainly a  
6 permissible methodology and that's what was used by  
7 Dr. Jarvis and Dr. Stonnington in case specific reports such  
8 as Gareis. There are no case specific cases or specific  
9 causation issues before the Court right now. The motion  
10 before Your Honor, the motion brought by 3M, is limited to  
11 issues of general causation.

12 So while we can look at some of the testimony that  
13 we received from experts for 3M about how they can properly  
14 exclude other potential sources of infection, there's not a  
15 real question about that, about the issue of specific  
16 causation that's properly before Your Honor right now.

17 Dr. Wenzel, for example, who is the infectious  
18 doctor for 3M, when we took his deposition in the general  
19 causation phase, he agreed that a number of potential causes  
20 of infection can be excluded. This is 3M's expert not our  
21 expert. He agreed during his deposition that bacteria do  
22 not come from, an infection is not caused by the following:  
23 Anesthesia machines, surgical lights, computer monitors,  
24 computer consoles, electric cautery devices, the bovie,  
25 surgical drapes, cabinets along the wall, the suction drain,

1 surgical equipment. And I'll give Your Honor a citation to  
2 that as well.

3 THE COURT: I was -- that's not on one of your --

4 MS. ZIMMERMAN: It's not on my power point, Your  
5 Honor. And this all comes from his general causation  
6 deposition, and the citations begin at page 99. And I'm  
7 happy to provide a supplement with pinpoints if Your Honor  
8 would like them.

9 THE COURT: One is already in the record.

10 MS. ZIMMERMAN: Right. And so the issue then, I  
11 guess, to the extent that Your Honor has asked the question,  
12 how do we go through and exclude the other potential causes?  
13 They do that properly in the context of a specific causation  
14 inquiry by looking at the available evidence, and that's  
15 certainly what the experts have done when it got to the  
16 stage of specific causation.

17 But what we're here for today is to talk about  
18 general causation and whether or not the experts are  
19 properly qualified, if they have followed appropriate  
20 methodology, and those are really the inquiries before Your  
21 Honor in determining whether or not these experts can go  
22 forward. And we submit that Your Honor got the question  
23 right in December of 2017 when you allowed all of the  
24 experts to go forward.

25 Because we had some questions about engineering, I

1 did want to put some additional citations up about 3M's  
2 expert Dr. Keen, and he's a Professor Emeritus from the  
3 University of Minnesota, an engineering expert, and he did  
4 not come to the Gareis trial. But he did agree with us on a  
5 number of very important engineering principles, including  
6 the fact that particles under the operating room table  
7 and/or on the floor of the operating table can be  
8 transported to the surgical site by use of the Bair Hugger.  
9 That's at docket number 932-3. That's his deposition, and  
10 it's at page 324, lines 5 through 12.

11 It goes a little bit to Your Honor's question  
12 about where does the Bair Hugger sit, and I don't have  
13 information for you as I stand here right now about exactly  
14 where the Bair Hugger sat whether on the floor or on the  
15 stand, but what I can tell you is that the testimony that  
16 we've received from everybody is that it's on one or the  
17 other, and it is always below the surface level of the  
18 operating room table, and that you remember the testimony  
19 from Dr. Stonnington that everything below the surgical  
20 table is considered to be contaminated. So that was  
21 important testimony from Dr. Kuehn, who obviously did not  
22 come here.

23 Dr. Kuehn agreed that bacteria can become  
24 aerosolized. You heard lots of testimony about that. He  
25 agreed that the physicians want to keep a sterile field as

1 particle free as possible. He agreed that if 3M were aware  
2 that the Bair Hugger was associated with an increased risk  
3 in the number of particles over the surgical site, that that  
4 is relevant information and that 3M had an obligation to  
5 warn customers of that fact. Their expert agreed to that.

6 He agrees that particle testing is a valid  
7 alternative to biological sampling and particularly that you  
8 can get information in real time rather than having to wait  
9 for essentially settle plates to develop.

10 He agreed that the Bair Hugger may cause particles  
11 to travel from the floor to the surgical site. He agreed  
12 that particles that will travel from the blower to the  
13 blanket, some of those particles are going to leave the  
14 blanket and that some of the particles that leave the  
15 blanket are going to contain bacteria. Again, this is 3M's  
16 expert in engineering. A Professor Emeritus from the  
17 University of Minnesota. That's his testimony. He didn't  
18 come to testify live at trial.

19 And, again, then Dr. Keen also agreed that  
20 Dr. Elgobashi is an expert in particle movement and that he  
21 did both the equations and calculations included in his  
22 report correctly.

23 We have a couple of additional just one particular  
24 study that I would like to bring to Your Honor's attention,  
25 and it is a study from Japan in 2018. The author is, and

1 I'm afraid I'm not going to say it right.

2 THE COURT: What docket number is it?

3 MS. ZIMMERMAN: It is not filed. I have copies  
4 for everybody.

5 THE COURT: Tell me what it is.

6 MS. ZIMMERMAN: Sure. The study name is "Relative  
7 Clinical Heat Transfer Effectiveness: Forced Air Warming  
8 Versus Conductive Fabric Electric Warming, A Randomized  
9 Controlled Trial." This is published in a Journal in  
10 Anesthesia and Surgery in 2018 and the lead author of this  
11 listed is Haruko Sugai. It's H-a-r-u-k-o S-a-g-a-i. And  
12 the important part of this study --

13 MR. BLACKWELL: Excuse me, Counsel, Your Honor, is  
14 there a copy of that study since we are just hearing of it?

15 MS. ZIMMERMAN: Yes.

16 THE COURT: Is it in the -- I think you're to the  
17 end of your --

18 MS. ZIMMERMAN: We are to the end of my  
19 PowerPoint, and I switched over to the document camera.  
20 This was one of the slides in there though.

21 So the important part of this particular article,  
22 Your Honor, is that it's not focused on -- it's looking to  
23 see whether these two products both heat patients  
24 comparative --

25 THE COURT: Is it another HotDog study?

1 MS. ZIMMERMAN: I think it is HotDog and Warm  
2 Touch.

3 THE COURT: It says "HotDog" right there.

4 MS. ZIMMERMAN: Yeah, you're right, it does. So  
5 it's a forced-air warming and a conductive blanket. But the  
6 important part, and this goes back to whether or not the  
7 ICOS had all of the relevant information and whether they  
8 made the connection that Dr. Jarvis and some other folks  
9 have made with respect to the heater-cooler and what the CDC  
10 has said about that.

11 This is a peer reviewed published paper, and they  
12 say, if the highlighted part that's on the document camera  
13 right now, "There is another issue that underscores the  
14 importance of finding an effective alternative to forced-air  
15 warming. Forced-air warming systems have been shown to  
16 produce an unintended consequence of disrupting operating  
17 room air flow and contaminating the surgical field. The  
18 clinical concern is especially severe in implant surgery  
19 where a single airborne bacterium can cause an infection.  
20 The U.S. Centers For Disease Control and Prevention recently  
21 issued a warning, 'nothing that blows air should be in an  
22 operating theater if possible.'" That part is a quote. And  
23 then the authors say that "identify an air-free alternative  
24 is paramount."

25 So these kinds of authors, and the reason that

1 this study is important is that they are starting to connect  
2 some of the dots that we have presented, that the experts  
3 have presented to this Court, that haven't necessarily been  
4 connected by all of the other study authors and the folks  
5 that are putting together things like the ICOS. But it's  
6 not fair to say that these things are not related or it's  
7 unreliable to make these kinds of connections. They are in  
8 fact being made in peer-reviewed publications.

9 So we would be happy to entertain additional  
10 questions to the extent the Court has them on the additional  
11 briefing that we've done. But other than that, we have  
12 three studies and a PowerPoint that we'd like to mark as  
13 exhibits and have entered in the record.

14 THE COURT: You want your PowerPoint in the  
15 record?

16 MS. ZIMMERMAN: Yes, Your Honor.

17 THE COURT: Mr. Blackwell, did you want your  
18 PowerPoint in the record too?

19 MR. BLACKWELL: Yes, Your Honor.

20 THE COURT: Okay. Powerpoints will be in the  
21 record. What else?

22 MS. ZIMMERMAN: We would offer this study from  
23 Sugai which is 2018. It's the one that's on the document  
24 camera right now, Your Honor.

25 THE COURT: Did you just find that after your

1 briefing or what?

2 MS. ZIMMERMAN: We did, Your Honor.

3 THE COURT: Well, I don't know, I guess, Mr.  
4 Blackwell, you're standing up.

5 MR. BLACKWELL: This one, Your Honor, we object  
6 to. We just got it and saw it. I literally heard of it  
7 when counsel just mentioned it.

8 THE COURT: We're not going to -- you have to look  
9 at it and we have to go through some kind of process.

10 MS. ZIMMERMAN: All right. Well, we also have a  
11 copy of the Lange article that I believe that Mr. Sacchet  
12 referred to, and we have copies of those, and we would like  
13 to have those marked and entered as well.

14 MR. BLACKWELL: Same objection. Just received it  
15 today. No evidence it's a Bair Hugger study either, Your  
16 Honor.

17 MS. ZIMMERMAN: Here's a copy for you.

18 THE COURT: Okay.

19 MS. ZIMMERMAN: And that's the study that just  
20 came out this month June of 2019, paper.

21 THE COURT: This is hardly the time to spring  
22 brand new science, but I'll take them as court exhibits only  
23 so that when I hear from the defense side, I'll know what  
24 they're talking about.

25 MS. ZIMMERMAN: And then the last one is the Sousa

1 article also mentioned by my colleague Mr. Sacchet, and I  
2 believe that that is 2016.

3 THE COURT: Is there any reason that couldn't have  
4 been brought up before this moment?

5 MS. ZIMMERMAN: In part of the briefing for  
6 example, Your Honor?

7 THE COURT: For example.

8 MS. ZIMMERMAN: We apologize, this has to do with  
9 the questions that Your Honor raised about potential  
10 confounders and whether or not they are explained by other  
11 research that's been done. So the Sousa article is the  
12 Preoperative Staphylococcus Aureus Screening and  
13 Decolonization Protocol Before Total Joint Arthroplasty,  
14 Results of a Small Perspective Randomized Trial.

15 THE COURT: Well, I'll take them, but not to be  
16 filed in the case, just as Court exhibits pending further  
17 briefing, I guess. But we're not going to supplement the  
18 record with new science that's just brought here after  
19 lunch. So if you want to give my clerk hard copies of  
20 those, I'll put stickers on them.

21 MS. ZIMMERMAN: And if Your Honor would like an  
22 updated PowerPoint to reflect a couple of additions that  
23 Mr. Sacchet alluded to in his, that he made over the lunch  
24 hour. We'll be happy to provide that over the lunch hour as  
25 well.

1 THE COURT: He said it's just that one, right?  
2 Just that one page?

3 MR. SACCHET: Yes.

4 THE COURT: So I will take a copy of that revised  
5 page.

6 MS. ZIMMERMAN: All right.

7 THE COURT: What is this? I'm making it Court  
8 Exhibit 1. It says, "Science Direct." It's basically a one  
9 page.

10 MS. ZIMMERMAN: That is the summary of the Lange  
11 article, I believe.

12 THE COURT: There it is.

13 MS. ZIMMERMAN: Yes, and then right at the top, it  
14 says it's in the American Journal of Infection Control,  
15 Volume 47, Issue 6, supplement, and it's June of 2019.

16 THE COURT: All right. And then this, while I'm  
17 making Court Exhibit 2, doesn't it mean he needed to be --  
18 what does it mean to be an open access article?

19 MS. ZIMMERMAN: It means you can get it free  
20 online.

21 THE COURT: Have you deposed any of these people?

22 MS. ZIMMERMAN: No, Your Honor. And as one  
23 additional, I guess, supplement, there are a number of  
24 references in 3M's particularly reply paper and then  
25 supplemental briefing in response to Your Honor's questions

1 asking why we do not have supplemental expert reports  
2 addressing some of these questions particularly with respect  
3 to Jeans, et cetera. As the Court may recall, we did  
4 disclose supplemental expert reports last fall, and  
5 defendants made a motion to strike, which the Court granted.  
6 We then brought a motion in January of this year to conduct  
7 additional discovery on the Jeans study and to supplement  
8 our expert opinions particularly with respect to this motion  
9 for reconsideration and that motion was denied.

10 So the reason there are not expert reports,  
11 particularly in our original response to 3M's motion, is  
12 because the Court specifically and expressly precluded us  
13 from doing so.

14 THE COURT: Do you have a clean copy of what I've  
15 marked as Court Exhibit 2, the Japanese --

16 MS. ZIMMERMAN: The Sugai? No, Your Honor, I  
17 don't. I highlighted them in the same manner, but I'm happy  
18 to e-mail a PDF.

19 THE COURT: That's all right.

20 Okay. Mr. Blackwell, any response?

21 MR. BLACKWELL: Some, Your Honor.

22 THE COURT: Okay.

23 MR. BLACKWELL: Judge Ericksen, I apologize if I'm  
24 a little bit discursive in responding. There were just a  
25 potpourri of points I wanted to address, starting with this

1 whole 1292(b), we're just relying on our papers in that  
2 regard, Your Honor.

3 The argument that we somehow have ended our  
4 request for reconsideration after the harboring bacteria  
5 argument again is completely specious. A lot of what Your  
6 Honor has heard today with respect to these mechanistic  
7 studies, it's a lot of the same stuff we covered back in  
8 October of 2017 during the Daubert hearing where all of  
9 these studies were ginned up then. There's a record of it  
10 then, and we heard it again today. And so all of this talk  
11 about particles, particles, particles, particles.

12 What the Court might recall is a couple of things  
13 about the particles. First of all, the particles that were  
14 relevant were particles that were 10 microns in size or  
15 greater. Those are particles that would be large enough to  
16 carry bacteria. So when plaintiffs' counsel gets up, and he  
17 talks about particle studies, they're not clarifying for the  
18 Court whether any of those studies even involve particles  
19 that were 10 microns in size or greater.

20 First off, the second thing that's also in the  
21 record from the October 2017 hearing with respect to  
22 particles and the Bair Hugger is that the genesis of a lot  
23 of these particle studies is either Scott Augustine or  
24 people associated with him when he had first tried to  
25 culture actual bacteria colony forming units from the Bair

1 Hugger, weren't able to do it. They tried six or seven  
2 times.

3 Then they resorted to particle studies thinking  
4 we'll be able to get particles in and we'll simply argue  
5 about particles as a proxy, which is exactly what the Court  
6 is now hearing.

7 And so we know, and I would, if the Court would  
8 take with some hesitation the studies that they cite on the  
9 so-called particle studies, take the Avidan study that Your  
10 Honor heard Mr. Sacchet reference. That's the study where  
11 they first, they track the culture bacteria from the Bair  
12 Hugger with the blanket on, weren't able to do it, weren't  
13 able to do it.

14 And so here we have an instance of the instance  
15 where at least here it begs the question, and Mr. Sacchet  
16 did talk about their expert Buck, and the testing that he  
17 did of the blanket. He didn't even attempt to go get a  
18 little cheap petri dish to try to culture bacteria from the  
19 so-called particles. There's every reason to be concerned  
20 here about the gap between particles associated with the  
21 Bair Hugger and the ability of those particles to carry  
22 bacteria or inoculate wounds, big question. So not  
23 established from any of the studies that plaintiffs cite.

24 For example, they reference the Darouiche and  
25 Stocks studies, as these prove that the particles counts go

1 up, et cetera. Those studies weren't even Bair Hugger  
2 studies, first off. Those studies don't even comment on  
3 whether the particles were 10 microns in size or not. Those  
4 studies don't indicate that anybody even had an infection  
5 there. And what those studies will actually stand for, Your  
6 Honor, is the proposition that the more people you have in  
7 the room, then the more colony forming units and particles  
8 you have in the room, which the thrust of Darouiche and  
9 Stocks.

10 So the point is simply that the Court should take  
11 a hard look at the studies cited by plaintiffs because at  
12 the end of the day, given the role that the Court has as  
13 gate keeper to determine whether or not there's any  
14 plausible evidence to support the plaintiffs' causation  
15 contentions, were they airborne, air flow disruption theory,  
16 which they have to show by preponderance of the evidence as  
17 a proponent of that. And a lot of these particle studies  
18 don't get them there, Your Honor, even adding them all up,  
19 there is a reason that Samet, that Jarvis, Stonnington,  
20 collectively will talk about having seen hundreds of these  
21 studies, and when they come down to sort of what's the study  
22 that matters? They will say, as Samet did, that the only  
23 real world study that makes a positive association is  
24 McGovern is what he said.

25 So I just encourage the Court. It's in the record

1 from our proceedings back in October of 2017 to take a hard  
2 look at the studies. They don't stand for the proposition,  
3 at least we don't think so, that they were cited for by  
4 plaintiffs as proving that the Bair Hugger is somehow can be  
5 identified and isolated as the source of the air flow  
6 disruption, that they will take a contaminated particle and  
7 deposit it in a wound, in a surgery.

8 There was some discussion, Judge Ericksen, about  
9 the law and the standard. And I think the proposition was  
10 that epidemiology isn't even needed here, and it was a  
11 *Bonner* case cited for that. That *Bonner* case is not really  
12 inapposite case for the proposition only because that's a  
13 case where the plaintiff was doused with fire retardant and  
14 had an immediate acute reaction. And so there was no more  
15 need for epidemiology for causation in that instance than to  
16 need an epidemiologist and a study from a dog bite. It was  
17 so close in time, and the reaction was so acute that that  
18 was the proposition and premise that the Court was there  
19 citing.

20 Your Honor has heard enough about the Reference  
21 Manual and what it says about epidemiological studies,  
22 observational studies, when they are appropriate, and I  
23 won't repeat that, because I'm sure Your Honor has seen it  
24 and heard it. But the over-arching point was the *Bonner*  
25 case was a case of acute injury from a chemical exposure

1 when somebody was doused with fire retardant where you  
2 didn't need an epidemiologist for that.

3 I wanted to address a couple of the other points.  
4 There was quite a bit of discussion about whether even the  
5 McGovern authors considered the confounders to be  
6 confounders, and I don't remember the every word that was  
7 spoken but the thrust of it was that perhaps the authors  
8 weren't calling the confounders confounders or some other  
9 fuzzy language, potentialities or something that the --

10 THE COURT: Observing what every observational  
11 study observes, that there could be confounders.

12 MR. BLACKWELL: That was the proposition, right,  
13 so which would make it meaningless to say they were  
14 confounders if everything has confounders.

15 THE COURT: He said every observational study at  
16 some point has some language like that in it.

17 MR. BLACKWELL: Right, right, so it was said.

18 So here, the authors, this was in, has been  
19 referenced and it's in our papers here from a pilot study by  
20 Dr. Reed that's a fairly recent one, looking here for the  
21 date on it. It's a 2018, but it's a pilot study for R11iOs,  
22 and at page 7, it's Document 1850 in the record, where Dr.  
23 Reed is talking about McGovern. And where he says in  
24 characterizing the study where he was a senior author, "an  
25 observational study in one hospital over a two and a half

1 year period suggests that the risk of developing deep  
2 infection up to 60 days after surgery was substantially  
3 greater for patients treated with forced-air warming than  
4 resistant fabric warming." He says, "but there were  
5 significant confounding factors in the study." Now, which  
6 he, of course, said was a caveat.

7 And so the point with respect to Jeans and MSSA,  
8 there was a question about whether it's a confounder at all.  
9 And the thrust of the findings from Dr. Reed and others was  
10 in confirming its role as a confounder as another reason to  
11 be guarded about the results that were seen with respect to  
12 the McGovern study because it is such a fragile study on the  
13 statistical significance involved.

14 But here, again, it's a study author  
15 characterizing the result itself and where he's referring to  
16 not just confounders but whether they are significant  
17 confounders in the McGovern study, and that both relates to  
18 things like MSSA and amongst the confounders that  
19 Mr. Sacchet spent a good bit of time talking about. He  
20 didn't talk about MSSA itself found as the confounder in the  
21 mind of Dr. Reed and the study of Dr. Reed.

22 I wanted to, Judge, just clarify a couple of  
23 things with respect to Dr. Samet too because there's some  
24 question about whether Dr. Samet relied on Augustine 2017.  
25 So I thought I would show the Court his testimony that came

1 from his deposition where he's been asked about the McGovern  
2 paper. And it's, "Is the Bair Hugger a substantial cause of  
3 surgical site infections substantial to or to periprosthetic  
4 infections?" He says, "Only comment I could make is that  
5 there's now a second study, the Augustine report, with  
6 another, another estimate of the risk of this too. That's I  
7 think what I can say at this point." And went on to say  
8 "And you correct me if I'm wrong, but you, part of your  
9 opinion is based on your conclusions that the McGovern paper  
10 does not represent a false positive, is that correct?"

11 "Well, the McGovern paper is certainly part of the  
12 evidence that I considered, and to the extent that the P  
13 value is less than .05, that's a helpful indication that the  
14 results are not arrived by chance alone, and then of course  
15 since the McGovern paper, there's been a second report that  
16 essentially corroborates the findings in other hospitals."

17 "Are you talking about Augustine publications?" "Yes, yes,  
18 I am." And then you see what he says at the bottom. "Two  
19 papers with quite similar findings, I think the strength of  
20 evidence from the epidemiological side has grown."

21 So I wanted the Court to see that for the frame of  
22 reference for how it is we said that Dr. Samet came to  
23 embrace the Augustine studies. He never disavowed that  
24 either to this day.

25 But if I could, the other, and so this one had to

1 do with the whole tabulation error where the issue was was  
2 that recognized as an issue on the McGovern study? And here  
3 is Dr. Reed who is being deposed on it. And he says, "So  
4 when I was reading this documentation yesterday and going  
5 through e-mails, it's clear to me that some of the data on  
6 the clinical side of the paper is wrong, slightly wrong. It  
7 doesn't affect the conclusion of the paper, and there's  
8 still a significant difference, but there is in fact one  
9 more infection in each group."

10 Now, this was e-mailed to Mark Albrecht, and he  
11 did reply to it, and in fact it's in your documents, the  
12 e-mail correspondence. And he goes on to say he put it in  
13 the main paper, et cetera.

14 So in talking about the tabulation error, I just  
15 want the Court to see that this is the frame of reference  
16 for it at the Reed deposition at pages 43 over to 44, where  
17 this acknowledged, and this is what we're saying that Dr.  
18 Samet certainly had knowledge of, and so he was aware of the  
19 tabulation error.

20 THE COURT: Did you make new slides over the break  
21 or is this in your packet?

22 MR. BLACKWELL: We did not make -- well, actually,  
23 this is one we just -- over the break, yes, that's in the  
24 record.

25 I'm sorry, Your Honor, just a moment.

1 THE COURT: The information is in the record but  
2 the PowerPoint is not in your packet.

3 MR. BLACKWELL: So it is all in the record, Your  
4 Honor.

5 THE COURT: Yes.

6 MR. BLACKWELL: So as to the theory that the Bair  
7 Hugger harbors bacteria and somehow emits it into the OR, it  
8 simply begs the question that where is the science that  
9 would show any bugs being emitted from what we heretofore  
10 refer to as the business end of the Bair Hugger. This has  
11 never been a there there. And it's one thing to talk about  
12 particles as proxies except in this instance where we know  
13 that the particles have never shown to be proxies for  
14 bacteria because they've never been able to culture any CFUs  
15 from the Bair Hugger.

16 So if I could just make a brief comment about this  
17 issue of statistical significance and their argument that  
18 statistical significance is not required, their argument is  
19 borne from the knowledge that given the confounders, that  
20 probably with respect to McGovern they don't have  
21 statistical significance so it's not required. The issue is  
22 not really so much a question of what is in the law.

23 It's a different question about sort of  
24 methodology apropos for Daubert, where is the evidence that  
25 an epidemiologist like Dr. Samet would be relying on a

1 nonstatistically significant study to reach conclusions,  
2 particularly when the conclusions he reaches don't purport  
3 with what the study authors have concluded, from a  
4 methodological point of view, is that what he does in the  
5 real world? And there's no evidence here that that is in  
6 fact what he does in the real world.

7 And, Judge, I wanted to just make a comment about  
8 Dr. Holford because there was quite a bit made about  
9 Dr. Holford, whether Dr. Holford saw studies, whether  
10 Dr. Holford did side studies. Dr. Holford. Judge,  
11 Dr. Holford was a biostatistician. He was involved in the  
12 case for crunching numbers. So to have Dr. Holford in a  
13 deposition asking him about things that would require a  
14 canvas of the available extant medical literature was not  
15 his role in the case and not anything he was asked to do,  
16 so, and I think a number of points that were made about  
17 whether he supports the view that the Jeans study undermines  
18 McGovern, et cetera, it's not what he was asked to do in the  
19 case. He's not even a testifying witness this Dr. Holford.

20 Give me just one moment, Your Honor. I think I'm  
21 just about going to sit down.

22 So ultimately, Judge Ericksen, given the  
23 plaintiffs' two theories here, the theory that the Bair  
24 Hugger disrupts the air flow in the operating room and then  
25 causes ambient particles that are contaminated to land in a

1 wound, still stand by on the general cause issue that there  
2 still isn't any known methodology. They haven't cited one  
3 either that allows someone to go back retrospectively to  
4 assess what the various air currents were in a room, how  
5 they would have interacted with one another to explain how a  
6 microbe would have been moved, transported in the room to  
7 have landed on a wound to cause an injury. Not the  
8 theoretical studies that particles can move, but how do you  
9 determine that on a specific cause basis? And if there  
10 isn't a methodology that they can cite that would allow you  
11 to do that, none of the studies do that, they're not  
12 specific cause studies at all for how we're going to go  
13 about that from a methodological point of view in a  
14 retrospective way, that that is still missing. It's still  
15 lacking. And to use those studies to say that those will  
16 provide the causal mechanism is still too great an  
17 analytical leap based upon what science currently today can  
18 do in the absence of any data in any case that will exist on  
19 the retrospective way. Thank you, Your Honor.

20 THE COURT: Did you -- if you just hold on one  
21 second. This France, what is this, the French government or  
22 something just said -- do you know what I'm talking about in  
23 the plaintiffs'? Mr. Sacchet was going through, he had  
24 France, he had some other I can't remember what.

25 MR. SACCHET: Plaintiff's Exhibit 64.

1 THE COURT: What slide is it?

2 MR. ASSAAD: Page 29.

3 MR. SACCHET: 29.

4 THE COURT: Yeah. So they've got French -- it's  
5 not the government. It's a French Society of  
6 Microbiologists, the Bone and Joint Center, something called  
7 "Orthopedic Surgeons." An organization called that I guess.  
8 So there's a I don't know what they are, professional  
9 associations or something, one called Orthopedic Surgeons,  
10 one called Bone and Joint Center, one the French Society of  
11 Microbiologists, is there anything you wanted to say about  
12 those?

13 MR. BLACKWELL: Other than you can't really tell  
14 where they begin or end from the snippets that I hear kind  
15 of of them. We do know of the ICM and how reputable it is,  
16 and both sides have agreed in that regard, but the French  
17 Society of Microbiologists is not internationally renown  
18 that we're aware of.

19 And in terms of they're not recommending the use  
20 of forced-air warming, I don't know what their full  
21 statement is, Your Honor, of what the French Society has  
22 said.

23 THE COURT: So what is this? This is under the  
24 ICM.

25 MR. BLACKWELL: It's under the heading of the ICM

1 fourth fallacy in the plaintiffs' slides, but this is not a  
2 part of the ICM.

3 THE COURT: What about the organization called  
4 Bone and Joint Center? Oh, never mind, I see Bone and Joint  
5 Center within Baylor, Scott and White in Temple, Texas.

6 MR. BLACKWELL: And this is a quote from  
7 something. This isn't a study.

8 THE COURT: I see, I see.

9 MR. BLACKWELL: And so a great deal of this is  
10 just antidotal pieces that have been cut out with a scissors  
11 and a paste pot and put on a document. What else can an  
12 e-mail be just that's under the heading Bone and Joint  
13 Center. So it --

14 THE COURT: Okay.

15 MR. BLACKWELL: All right.

16 THE COURT: All right. Thank you.

17 Mr. Sacchet, you look like you have another  
18 thought.

19 MR. SACCHET: I'll be brief, Your Honor.

20 In terms of whether these exhibits are a paste pot  
21 or whatever the term was, they are founded in internal  
22 documents that 3M produced in this litigation. So this is  
23 an e-mail from a 3M employee Thomas Henne to Jill Rector  
24 discussing the French Society of Microbiologists'  
25 conclusion. 3M has had this document since 2015.

1 This is the Bone and Joint evidence, which derives  
2 again from an internal 3M e-mail from Jay Issa to others in  
3 2016.

4 THE COURT: You're not relying on the actual  
5 studies -- I don't know if these are purported to be studies  
6 or what they are. I thought when you put it on that slide  
7 with the ICM, I thought you were talking about actual  
8 studies. And I wasn't familiar with those actual studies,  
9 which is why I asked that. So are these or are these not  
10 studies?

11 MR. SACCHET: So I say two studies right below ICM  
12 which were Tsai and Moretti that expressly say increased  
13 risk of infection; and the second page of the slide the  
14 statement from orthopedic surgeons and other entities from  
15 around the world that have reached the same conclusion such  
16 as the French Society of Microbiologists.

17 THE COURT: But you get that out of --

18 MR. SACCHET: From 3M's documents.

19 THE COURT: So it's on -- I see, this is not a  
20 study that the ICM looked at.

21 MR. SACCHET: No.

22 THE COURT: This is just whatever it is. Okay.

23 MR. SACCHET: I'll just go very quickly through  
24 some of the points that Mr. Blackwell raised.

25 THE COURT: We don't actually have rebuttal

1 rebuttal, but go ahead. I am interested in what you have to  
2 say but just bare in mind that --

3 MR. SACCHET: Is there anything in particular that  
4 you would like me to address?

5 THE COURT: No, but you have something that you  
6 want to say.

7 MR. SACCHET: Yes, just very quickly. One,  
8 Mr. Buck's report did show that there were greater than 10  
9 micron sized particles leaving the blanket.

10 Two, the Avidan study had attempted to show no  
11 bacteria from the blanket involved two trials and still  
12 concluded that there was an increased risk of infection from  
13 Bair Hugger.

14 Three, the Stocks 2010 study does say that  
15 particles from 5 to 10 microns and 10 microns and greater  
16 can be correlated with bacteria.

17 Four, the Bonner study says what it says. It's  
18 black letter law that epidemiology is not necessary for  
19 causal inference. *In Re Heparin*, *In Re Meridia* and even in  
20 *Glastetter* case that 3M cites in its own papers acknowledged  
21 as much.

22 The Rlli0 pilot study that Mr. Blackwell brought  
23 up and is not a published study. It's merely a protocol.  
24 It has no scientific citation for the proposition of  
25 confounding. It simply states it in ipse dixit.

1           Again, Dr. Samet published or assigned his report  
2 before the Augustine 2017 study. As to the tabulation error  
3 that Mr. Blackwell brought up, noted by Dr. Reed, even if  
4 there were a difference of one infection in both arms of the  
5 study, it doesn't change either the statistical significance  
6 or the clinical significance of the study. It's still a 2.8  
7 odds ratio. It's still a P value of .04. That can be found  
8 in footnote one of 3M's own expert report from Dr. Holford.

9           Statistical significance, it's not required.  
10 There are numerous cases saying that. Dr. Samet did not do  
11 what the McGovern authors say can't be done, and there's no  
12 evidence of that.

13           With respect to Dr. Holford himself, the argument  
14 that he was only put up to do number crunching I think as it  
15 was said is not true --

16           THE COURT: Dr. Holford?

17           MR. SACCHET: It's just not true. His report,  
18 which I've read 20 times, deposed the guy, he went way out,  
19 and he was asked about causal inference and everything about  
20 it, and 3M asked him to opine whether there could be  
21 evidence of causation and he has conclusions about it. So  
22 he was not put up solely as a biostatistician. I asked him  
23 at the deposition if he was also practicing epidemiology, he  
24 said yes. So that's not true.

25           There was a little bit of confusion, I didn't

1 totally understand the argument, but it sounded like 3M is  
2 suggesting that Holford was the one who testified about the  
3 import of Jeans. That's not true. It was --

4 THE COURT: He said he's not a testifying expert.

5 MR. SACCHET: It's Dr. Borak though who we deposed  
6 about the Jeans study, not Dr. Holford. Dr. Borak, who is  
7 the same epidemiologist that testified at the Gareis trial  
8 is the one we deposed about the Jeans study. He's the one  
9 who originally published a report in the *Trombley* case  
10 saying that Jeans did confound and then totally 360 and said  
11 it doesn't prove confounding. So that's not about  
12 Dr. Holford. That's Dr. Borak.

13 And, finally, just to be clear with respect to  
14 specific causation, Drs. Jarvis and Dr. Stonnington in their  
15 case specific reports have offered two different pathways  
16 for it. One is the doubling of the risk for McGovern, and  
17 the other is Darouiche and Stocks plus the mechanistic  
18 studies regarding particle contamination. There are two  
19 independent ways to deal with the question of specific  
20 causation, and that's all I have for Your Honor.

21 THE COURT: All right. All right.

22 MR. BLACKWELL: Your Honor, just one point I just  
23 forgot to point out when I was standing here before. And  
24 this was on the issue about whether the data in McGovern  
25 were complete prior to July 2008 when it started, and I

1 think that Mr. Sacchet said the data wasn't complete data  
2 and that's why the study --

3 THE COURT: Is this on your point about how if the  
4 date had been moved back? Okay.

5 MR. BLACKWELL: Right, right. And I just wanted  
6 to point out to the Court here in the Jeans study itself,  
7 the Court can see here that here again is Dr. Reed talking  
8 about the data. The data obtained for procedure performed  
9 age, gender, body mass index, American Society of  
10 Anesthesiologists, physical status, et cetera. And he goes  
11 on to say, "infection monitoring has been performed with  
12 complete data available from prior to screening program that  
13 is from the 1st of January of 2007 to December 31, 2009, and  
14 after its introduction from the 1st of January 2010 to the  
15 31st of August, 2014."

16 So I point this out because the McGovern data is  
17 lesser-included data in Jeans, and so this data is being  
18 used in the Jeans study. He does describe it as complete  
19 data, and we do know that had that data been included and  
20 used in the McGovern study, there would not have been a  
21 positive statistical association in the first place in  
22 McGovern, but he was just pointing out that Dr. Reed himself  
23 the author of both studies is saying that there was complete  
24 data available, and this is on page 2.

25 Thank you, Judge.

1 THE COURT: Okay, as long as you're up there, how  
2 long do you need to respond to the offer of this science  
3 direct, a couple of them, the American Journal of Infection  
4 Control, this summary? The research article, Court  
5 Exhibit 2, and this article from 2016 article. How long do  
6 you need to take the position on that?

7 MR. BLACKWELL: We think Friday, Your Honor.

8 THE COURT: Okay. All right. Mr. Sacchet?

9 MR. SACCHET: Just very briefly, Your Honor. As  
10 to this idea that the Jeans study shows that the McGovern  
11 study is incomplete, the key term that Mr. Blackwell I think  
12 mentioned but didn't emphasize is that the Jeans author used  
13 the phrase "complete available data." It doesn't mean that  
14 it was complete data. It means that they had complete  
15 available data and that's exactly why --

16 THE COURT: What's the difference?

17 MR. SACCHET: At Dr. Reed's deposition, he was  
18 asked the question, "Did you just start collecting that data  
19 on July 1, 2008?" Answer: "That's when we went to  
20 full-time surveillance. We had part-time surveillance  
21 before then."

22 In Dr. Borak's deposition, we confronted him with  
23 that fact, and Dr. Borak was uncertain whether given that  
24 phrase in the Jeans study, that that proved that there was  
25 complete data prior to July 1st, 2008, as Dr. Reed stated at

1 his deposition. Dr. Holford, when I deposed him, said the  
2 same thing, I will concede that the start date was July 1,  
3 2008, based on Dr. Reed's statement sworn testimony saying  
4 that's when it started. So at best, there's a dispute of  
5 fact. It is not proof that the McGovern study could have  
6 included robust, full data prior to July 1, 2008.

7 And that's one of the reasons in which plaintiffs'  
8 experts criticize the Jeans study because prior to that date  
9 from January 1, 2007, to July 1, 2008, Northumbria wasn't  
10 collecting full data.

11 THE COURT: Anything else? Yes? No? Okay, all  
12 right.

13 Well, I'll hear from you on Friday. And I'll hear  
14 from plaintiffs if there's anything they want to say in  
15 response to that by Wednesday. Obviously, if you can work  
16 out whether it's admissible or not, let me know.

17 But other than that, thank you very much for these  
18 excellent arguments. I'll take it under advisement. We are  
19 in recess.

20 (Court adjourned at 3:10 p.m.)

21 \* \* \*

22  
23  
24  
25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

REPORTER'S CERTIFICATE

I, Maria V. Weinbeck, certify that the foregoing is a correct transcript from the record of proceedings in the above-entitled matter.

Certified by: s/ Maria V. Weinbeck

Maria V. Weinbeck, RMR-FCRR